



1 APPEARANCES: (Cont'd.)

2 For the Petitioner:

NORMAN HILE, ESQ.  
Orrick, Herrington &  
Sutcliffe, LLP  
400 Capitol Mall, Suite 3000  
Sacramento, California 94306  
(916) 447-9200

6

ALI KAZAMI, ESQ.

7

8 For the Respondent:

HOLLY WILKENS, ESQ.  
ADRIENNE DENAULT, ESQ.  
Deputy Attorney General  
110 West A Street  
Suite 11  
San Diego, California 92101  
(619) 645-2287

10

11

12

13 Transcript Ordered by:

HOLLY WILKENS, ESQ.

14

15 Court Recorder:

Nancy Cablay  
United States District Court  
940 Front Street  
San Diego, California 92101

16

17

18 Transcriber:

Carol Abbott  
Echo Reporting, Inc.  
6336 Greenwich Drive, Suite B  
San Diego, California 92122  
(858) 453-7590

19

20

21

22

23

24

25

I INDEX

	<u>WITNESSES</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>
3	Terry Melton	15	44		
4	Doctor Eva Steinberger	52 162	73 170	86	
	Kevin Ballard	89	123	141	
6	Peter R. DeForest	143	150	158	
8	<u>EXHIBITS</u>			<u>IDENTIFIED</u>	<u>RECEIVED</u>
9	<u>Petitioner's</u>				
10	1 Curriculum Vitae for Melton			16	16
11	2 Curriculum Vitae for Ballard'-			88	88
12	3 Power Point, Ballard			88	88
13	4 Curriculum Vitae for DeForest			143	143
14	<u>Respondent's</u>				
15	A Curriculum Vitae for Steinberger			52	52
16	B Slides from Steinberger			163	163

17  
18  
19  
20  
21  
22  
23  
24  
25

1       SAN DIEGO, CALIFORNIA FRIDAY, APRIL 2, 2004 9:00 A.M.

2                               --000--

3               (Call to order of the Court.)

4                       THE CLERK: Number one on calendar, 92CV427,  
5 Cooper v. Vasquez for tutorial hearing, and number two on  
6 calendar; 98CV818, Cooper v. Calderon, for appealmandate  
7 hearing requisite to filing the certified copy of the  
8 judgment of the United States Court of Appeals authorizing  
9 Petitioner to file second or successive application for  
10 habeas corpus in the District Court and staying execution  
11 pending resolution of that application, and tutorial  
12 hearing.

13                       THE COURT: Good morning. Would you state your  
14 names for the record?

15                       MR. ALEXANDER: David Alexander on behalf of the  
16 Petitioner, Kevin Cooper. Good morning, your Honor.

17                       THE COURT: Good morning.

18                       MR. HILE: Good morning, your Honor. Norman Hile  
19 of Orick and Sutcliffe for Kevin Cooper, the Petitioner.

20                       THE COURT: Good morning.

21                       MR. KAZAMI: All Kazami for the Petitioner. Good  
22 morning, your Honor.

23                       THE COURT: I think there's just two attorneys  
24 that the Court has recognized, but you're welcome to  
25 just -- well, you're welcome to appear as pro bono counsel

at Orick's expense.

2 MR. KAZAMI: Thank you, your Honor.

THE COURT: Thank you.

4 MS. WILKENS: Good morning, your Honor. Holly  
Wilkins, Deputy Attorney General for the Respondent.

6 MS. DENAULT: Good morning, your Honor. Deputy  
Attorney General Adrienne Denault on behalf of Respondent.

THE COURT: Good morning to you all. First of  
all, we'll spread the mandate. That will be ordered filed.  
10 And then, next, on the -- I'd like to just discuss  
11 scheduling. and was there somebody that we want to include  
12 by phone or not?

13 MR. HILE: Your Honor, we have one of the expertb  
14 who will be listening by phone later on because of  
15 scheduling.

16 THE COURT: I see. All right, thank you. All  
17 right. As far as scheduling, in the en banc order, the  
18 Ninth Circuit noted an issue concerning the Brady matter  
19 pertaining to the PF Flyers Pro Ked Dude tennis shoes, and  
20 so the Court will schedule an evidentiary hearing and would  
21 like testimony from the following individuals:

22 Midge Carroll, the warden at CIM Chino, where  
23 Cooper was incarcerated. James Taylor, the inmate at CIM  
24 Chino who has apparently changed his trial testimony. Dewey  
25 Newberry, if he can be located, the Stride Rite general

1 merchandise manager, if he's -- who testified at trial about  
2 the purchase contracts that CIM Chino had for Pro Ked Dudes.  
3 Donald Smith, the CIM Chino investigative lieutenant who  
4 testified as to the purchase contracts Chino had with Pro  
5 Ked.

6           And then, also, the Attorney General should select  
7 appropriate people from either the investigators or defense  
8 team or -- not the defense team, but the prosecution team,  
9 not the lawyers, but those who allegedly received  
10 information or didn't receive information from Midge  
11 Carroll. And I think that the information can be  
12 done -- the parties can present the information that we need  
13 rather than wait for the outcome of all of the briefing.

14           We're in an unusual posture where this is a  
15 successive petition and much has been done before. So, what  
16 I'd like to do is reserve some time now and then the parties  
17 can arrange for those witnesses to attend during that time  
18 frame. And so, I was looking at the Court's calendar, and I  
19 would like to do it on June 2, that's a Tuesday, if  
20 possible.

21           MS. WILKENS: Yes, your Honor. I did want to  
22 indicate that Mr. Newberry, he now works and lives in China,  
23 and therefore, we would be suggesting to present a  
24 declaration in lieu of testimony.

25           THE COURT: How about a deposition on written

interrogatories?

MS. WILKENS: If we have time. It's very difficult to get

THE COURT: Do you know what a deposition on written interrogatories is? It's an --

MS. WILKENS: Yeah, but we have to -- technologically, sending it to China, the back and forth is going to take a little while.

THE COURT: You send him the questions, but then, also, the Defense can send him the questions. If you just present an affidavit, then there's no opportunity for cross examination.

MS. WILKENS: I just want to make sure there's enough time for the interrogatories to arrive and be responded to and get back.

MR. HILE: Your Honor, this is something that we would like to try to work out so we actually could have a deposition, even if it has to be at --

THE COURT: No, we're not going to do a deposition in China.

MR. HILE: Well, and one of the possibilities would be that the witness could come here. It would be less expensive if he were to come here.

THE COURT: We can do a deposition on written interrogatories. It's very simple procedure. It costs just

1 to send in your questions and the party prepares the answer,  
2 and particularly since we have his trial testimony already  
3 done under oath, I think that that's a reasonable way to go.  
Why don't you take a look at that procedure.

5 MR. HILE: We will, your Honor. Maybe we can  
6 arrive at a stipulation with respect to it.

THE COURT: And then, in order to accomplish the  
8 testimony, I would think that I'll ask for the parties to  
9 later provide the Court a reasonable time estimate for these  
10 individuals and with the supplement as to any other  
11 individual that you think would be pertinent and the Court  
12 would evaluate it.

13 MR. HILE: Yes, your Honor. With respect to the  
14 scheduling, I have June 2nd as a Wednesday.

15 THE COURT: You have it as a Wednesday. I wonder  
16 why I put June 2.

17 MR. HILE: Is that correct?

18 THE COURT: Oh, that's because -- I see, thank  
19 you. Monday is Memorial Day, so Tuesday is our regular  
20 motion day, and so, thank you for bringing that up. June 2  
21 would then be a Wednesday and we could do Wednesday,  
22 Thursday and Friday, if necessary, so we would have that  
23 block of time to take care of it. And we may be able to do  
24 it shorter or we would then at least reserve those three  
25 days.

MR. RILE: There is one procedural issue with respect to that date. As I calendared that date, June 2nd is the date that Petitioner's traverse would be due under the scheduling that the Court set at the telephone conference that we had a couple of weeks ago. And it might make sense to have that traverse filed and considered, and there may be issues raised by the answer and the motion that the Attorney has suggested, and I didn't know whether we were going to try to resolve those issues before we had the hearing.

THE COURT: We're going to go ahead with the hearing. If we need to supplement the hearing later, we can always consider that. But, given this unique procedural posture and the direction of the Ninth Circuit that did say it should be resolved quickly or could be resolved quickly, the parties are on notice of the issues, and so, I'd prefer to go ahead, get this -- based on the information that the Court's already reviewed from your side, that the Court would like to hear this information. And then, if we need to change or alter or supplement, we can do that at a later time. It would be the date that you have that. And so, perhaps the traverse could be filed the day before -- I mean, if that's possible.

MR. HILE: That should be possible if it's just an answer. The only problem that we'll have with respect

1 THE COURT: I'm sure there's going to be some  
2 motions, too.

3 MR. HILE: And until the motions are resolved, we  
4 may not be able to file a complete traverse. But, we will  
5 try to accommodate the Court --

6 THE COURT: We have the opportunity to do  
7 traditional traverse or supplemental briefing on any issues  
8 that we need as well. So, I think that this is a flexible  
9 schedule that promotes the expedition of the case, because  
10 there are a number of issues and by being able to  
11 concentrate on certain issues at a select period of time, we  
12 can resolve those. If we need to do more, we can always  
13 consider that.

14 MR. HILE: Yes, your Honor, and just so the Court  
15 is aware, I think we mentioned this in the telephone  
16 conference a few weeks ago, we will be filing very quickly a  
17 motion for discovery and we'll obviously try to fit in and  
18 perhaps get a ruling on that and perhaps have the discovery  
19 in time for this. But if we don't, we'll ask to then add to  
20 the record with respect to that.

21 THE COURT: I'll consider that partially. As I  
22 mentioned before, it's interesting, in the criminal trial,  
23 there is not traditional discovery. There are Brady rules.  
24 There are Brady obligations. But, there are no depositions  
25 before. And so, the habeas proceeding, while called civil,

is a hybrid in a sense, and, in this particular case, we also have the benefit of all that's preceded. And so, you  
3 may file your motion, the Government can respond, the Court  
4 can make an appropriate ruling and consider all to do, yes,  
5 everything's limited, or piecemeal what we need without  
6 prejudice to consideration of other information, if the  
7 Court believes that it's appropriate.

MR. HILE: Thank you, your Honor.

MS. WILKENS: Your Honor, I did want to clarify.  
10 We will in fact be filing an answer, and therefore, there  
11 will be no reason for delay with the traverse. None of our  
12 motions would implicate delaying the traverse. We are  
13 filing an answer for the express purpose of expediting these  
14 proceedings.

THE COURT: It is a significant proceeding, and  
16 obviously, the Court wants to give the attention that is  
17 required for this. At the same time, as I said, we have had  
18 numerous proceedings before and so we don't start with a  
19 blank slate. However, we do start with now a supplemental  
20 petition. And so, with that background, everybody is  
21 available for that week?

MR. HILE: From the Petitioner's side, yes, your  
23 Honor. We're available.

MS. WILKENS: Yes, your Honor, that's fine.

THE COURT: And then, you'll work on the

1 witnesses.

2 MS. WILKENS: We will.

3 THE COURT: Now, on time frame, what I realized  
4 after I scheduled it, and then somebody came yesterday, that  
5 I was -- in order to save some costs, I would think that if  
6 you could find out a flight down from San Francisco so you  
7 don't have to come the night before. I actually regret that  
8 we started this at 9:00 because I could have started it at  
9 11:00 or 10:00 and then avoid the expense of you coming down  
10 the night before. And that is my oversight, or at least, I  
11 thought perhaps I've gone on the early flights up to Oakland  
12 and come over. So, that's my fault. But, I think if you  
13 could tell me a realistic time, then we could schedule it at  
14 that time, at least for the first day.

15 MR. ALEXANDER: That's very much appreciated, your  
16 Honor, and I think the cost saving is obviously an excellent  
17 idea. And there are flights that I think will accommodate  
18 so we don't have to start too --

19 THE COURT: So, at some time, you could --

20 MR. ALEXANDER: So, we'll check into that --

21 THE COURT: You could check and --

22 MR. ALEXANDER: -- and advise the Court.

23 THE COURT: Thank you. We'll also check into  
24 whether on any -- as I said, we have some limited pro bono  
25 funds. We'll also check to see whether there's a

1 possibility of trying to get Government rate. I don't know  
2 whether that's possible or not, but I will be consulting  
3 with the capital case committee chair, Judge Moskowitz, who  
4 resides in our District, as to what things we can do to  
assist,

MR. ALEXANDER: Thank you very much, your Honor.  
7 We appreciate that accommodation. We're going to file a  
8 request both under local rule for pro bono funds as well as  
9 under the statute 844(q), I think it is, that provides for  
10 expenses for cases like this, and we'll be filing that very  
11 soon.

12 THE COURT: And could you also in that address  
13 whether the 844(q), whether it makes a difference whether  
14 it's retained counsel or non-retained counsel in this sense  
15 that -- I'm sure you've done research in this respect, but  
16 the Court had appointed counsel who had previous death  
17 penalty experience, both at the trial level and then on  
18 Federal habeas before, and had invested significant  
19 resources. And then, there's always a learning curve, and  
20 what we don't want is just a duplication of effort of what  
21 has gone before. And I do know that, at least on the DNA  
22 side, that in the state court litigation, there were  
23 resources given to the Defense.

24 So, what we're trying to do is be responsive to  
25 what the Ninth Circuit said, be cognizant of the resource

1 limitations that we have, this year recognizing that the CJA  
funding is set to expire in June, but with the significance  
and importance of .a death penalty habeas case also before  
the Court to balance out all of those issues, and then  
5 provide the appropriate resources for the litigation  
pertaining to Mr. Cooper, at the same time not exceeding or  
being frivolous with those matters. So, you may file those  
8 and, as I said, preliminarily in the scheduling order, we'll  
9 come out with some protocols. But, if you think that some  
10 of that must be filed, you may file that and then the Court  
11 will review it and decide whether any or all of it is filed  
12 publicly.

13 MR. HILE: Your Honor, if I may address that very  
14 point. Thank you for bringing that up. What we would like  
15 to do with this motion, if the Court is willing to  
16 accommodate us, is to allow us to file it under seal. The  
17 Court can then decide whether or not it should be shown to  
18 the other side and whether there's anything that can be made  
19 public. But, we would appreciate an order that would allow  
20 us, from the clerk's perspective, to file either directly to  
21 chambers or under seal.

22 THE COURT: Why don't you file submissions  
23 directly to chambers. Obviously, under -- typically,  
24 attorneys funding requests are those that are appropriately  
25 filed under seal. If there are portions that the Court

1 believes should be public record, it's always been this  
Court's practice to try to recognize the importance of the  
3 public record as well. And so, I think, if you could submit  
4 it directly to chambers with the appropriate number of  
5 copies, then the Court will review it and then make a  
6 determination.

7 MR. HILE: Thank you very much, your Honor.

8 THE COURT: We may, on certain things -- and keep  
in mind that often there may be some things that can b  
10 filed publicly with some other parts redacted, or if you  
11 think -- traditionally, attorneys' fees requests in capital  
12 cases have been under seal, for obvious reasons. So, the  
13 Court will permit you to do that. And I think if you file  
14 it with chambers first, then, at least at the beginning, if  
15 we need to change that procedure, then, through a scheduling  
16 order, I'll let you know.

17 MR. HILE: Thank you, your Honor.

18 THE COURT: Okay. That takes care of the  
19 preliminary matters, with one other comment by the Court.  
20 Typically, I have not had expert witnesses under Federal  
21 Rule 704 that are court experts. As to the DNA issue, if  
22 the Court believes that the Court needs additional  
23 assistance, that may be one area where, depending upon the  
24 presentations of the parties, the Court may reserve some  
25 right, whether it's under Evidence Code 704 or related

1 matters, to see if the Court needs any additional  
2 assistance.

3 If-so, it would all be on the record. But, I'm  
4 just alerting you to if I feel that I need more background  
5 and independent analysis. And so, with that background,  
6 we'll turn it over to the Petitioner. If you could, confine  
7 your remarks to approximately an hour, hour and a half, if  
8 that's possible. If you want to open with about an hour and  
9 then reserve time for half an hour to respond, that might be  
10 one way to go. If it turns out that you truly need  
11 additional time, then the Court would consider that as well.

12 MR. ALEXANDER: Thank you very much. Good  
13 morning, your Honor. David Alexander on behalf of the  
14 Petitioner. I have been through these tutorials before.  
15 But, as your Honor pointed out in our conference call,  
16 typically in --

17 THE COURT: Is now when we need to conference in  
18 the expert or not yet? Okay, you'll let me know.

19 MR. ALEXANDER: Yes, your Honor. Typically, in  
20 the patent or intellectual property area, the way in which  
21 we would, with the Court's permission, intend to proceed is  
22 to start off with Doctor Terry Melton who will address the  
23 mitochondrial testing issue, and we would like to reserve  
24 some time, we had anticipated for the Court's benefit, we  
25 thought, to have Doctor Melton do her tutorial and then the

1 Attorney General's office has somebody here. And then,  
2 Doctor Melton, if it's appropriate, may want to respond  
3 or

4 THE COURT: On that issue, first, before we get to  
5 EDTA?

6 MR. ALEXANDER: Yes, so that there's less  
7 confusion. Otherwise, I'm afraid there's going to be a  
8 great deal of confusion.

9 MS. WILKENS: We were going to start with EDTA,  
10 but we can probably accommodate that request.

11 MR. ALEXANDER: Thank you. So, then we'll turn to  
12 Doctor Kevin Ballard, who is also in the courtroom, your  
13 Honor, and proceed in the same manner. And if necessary,  
14 get Doctor Deforest on the telephone. So, without further  
15 comment, Mr. Hile will be handling the tutorial with Doctor  
16 Melton.

17 THE COURT: Thank you. If Doctor Melton could  
18 come forward.

19 MR. HILE: Yes. Your Honor, would you like to  
20 proceed with the experts in the witness chair?

21 THE COURT: Yes.

22 MR. HILE: Fine.

23 THE COURT: We're on recording equipment, and so,  
24 even though we can hear, the recording equipment is  
25 preferable.

1 THE CLERK: Please state your name for the record.

2 THE WITNESS: Terry Melton.

THE COURT: And do you want the witnesses sworn?

4 MR. HILE: I don't think it's necessary, your  
5 Honor, but I'm certainly -- if it's suggested, that would be  
6 fine, but --

7 THE COURT: I think we could swear the witness.

8 MR. HILE: That would be fine your Honor.

9 TERRY MELTON, PETITIONER'S WITNESS, SWORN

10 MR. HILE: Your Honor, I have a curriculum vitae  
11 for Doctor Melton, if I can give that to the clerk. Would  
12 you like more than one copy for the Court?

13 THE COURT: Yes.

14 MR. HILE: And we apologize for the top part of  
15 this. It does reflect the CV for Doctor Melton. We took  
16 this from the internet color and it doesn't fax very well  
17 with color on it. But, I'll represent to the Court that it  
18 does reflect Doctor Melton's CV, which I'll hand to the  
19 witness with the Court's permission.

20 THE COURT: All right, thank you. We'll make this  
21 Petitioner's 1 for the tutorial.

22 DIRECT EXAMINATION.

23 BY MR. HILE:

24 Q Doctor Melton, can you please state your full name for  
25 the record.

1 A Terry Melton.

Q And do you have any advance degrees?

A Yes, I have a masters degree and a Ph.D. in genetics  
4 from Penn State University.

Q And your professional educational background, is that  
6 reflected in Petitioner's Exhibit 1?

7 A Yes, it is.

8 THE COURT: The Court will receive the Exhibit 1,  
9 and then we can go on.

10 MR. HILE: All right.

11 BY MR. HILE:

12 Q Would you just briefly for the Court's benefit describe  
13 what you do for a living?

14 A All right, thank you. Good morning, your Honor. I am  
15 president and CEO of a small company in Pennsylvania called  
16 Mitotyping Technologies. The exclusive focus of our work is  
17 mitochondrial DNA testing, which I'll be explaining a lot  
18 about to you this morning, and I hope that if at any point  
19 you have any questions, you'll interrupt me and ask me,  
20 because I do have a tendency to get excited about what I'm  
21 talking about. I do enjoy it a lot.

22 Mitotyping Technologies was started in 1998 to fulfill  
23 a need in the criminal justice community for a laboratory to  
24 perform very high quality mitochondrial DNA testing. And  
25 this is the kind of testing that is reserved for primarily

hairs and skeletal remains that are not suitable for nuclear DNA testing. So, I started the company -- at the time I started the company in 1998, the FBI was providing testing at the Federal level and for occasional state cases. But, there really were not a lot of other places to obtain this kind of testing.

My background is from Penn State University. I received a Ph.D. in genetics from an anthropology department. My thesis advisor was a very well-known person by the name of Mark Stunking (phonetic) who was one of the very first people to use mitochondrial DNA in a forensic context.

Now, it's also used in other areas, primarily to study human evolutionary history, and also to study some very interesting mitochondrial DNA diseases. So, since I started the company -- well, my Ph.D. at Penn State was the study of mitochondrial DNA as a forensic tool, specifically looking at the population genetics of this marker, that is, how variable is it in different populations around the world. And so, in my thesis, I looked at about 40 different populations from different continents.

Since 1998, we have done several hundred cases in our company. I am currently on the board of the Journal of Forensic Sciences. I give a lot of talks, both invited and submitted talks at meetings nationally and internationally.

1           THE COURT: Could we then just restrict it to what  
it is.

3 BY MR. HILE:

4 Q     And Doctor Melton, before we talk about specifically  
the science, have you done work in the criminal forensic  
6 area for both the prosecution and defense?

7 A     Yes, about two-thirds of our work is for prosecution  
8 and about a third is for defense.

9 Q     Now, I know you brought with you some transparencies,  
10 and let me just get the first one started, and if you could  
11 use those as a backdrop for a very brief introduction to  
12 mitochondrial DNA technology and science.

13 A     Yes. I would like to use an overhead or a laser  
14 pointer, if possible. Thank you. So, I'm going to cover  
15 the basic science of mitochondrial DNA testing. As we go  
16 along, I hope to eliminate the differences between  
17 mitochondria' DNA testing and nuclear DNA testing.

18           Now, we hear a lot about DNA on the TV and radio,  
19 specifically the human genon project, and sometimes the  
20 science can be a little intimidating. What I hope to do is  
21 make it interesting and not difficult. We typically hear  
22 about DNA having the structure of a double helix. If you  
23 take this double helical structure and you unravel it, you  
24 will get something that looks an awful lot like a ladder.

25           THE COURT: The Court's familiar with that.

1           THE WITNESS: All right, and the base appearing  
2 rules are A, T, C and G. A always appears with T, and C  
3 always appears with G. And it's the informational content  
4 along the length of the molecule that provides the  
5 information that we're interested in. It's kind of like a  
6 telephone number. And for nuclear DNA, with respect to your  
7 particular set of DNA bases, you are unique, except if you  
8 have an identical twin.

9           Now, for mitochondrial DNA, it's a little bit  
10 different. A child inherits the mitochondrial DNA type, and  
11 by that, I mean the exact order of these chemical bases in  
12 mitochondrial DNA from the mother faithfully. And so,  
13 maternally, it's inherited. It's inherited from the mother.  
14 And all siblings of that mother will have the same type.  
15 The mother will have the same type as her mother and her  
16 grandmother and so forth. So, the primary difference  
17 between nuclear and mitochondrial DNA, when it applies to  
18 forensics, is that it is not a unique identifier. That  
19 is --

20           THE COURT: It's a family identifier?

21           THE WITNESS: It is a maternal lineage identifier,  
22 and in fact, if you think about it, you could go back in  
23 your family tree and lose track of who your maternal  
24 relatives are. So, in theory, there could be someone out in  
25 the population with this type that you don't realize is your

1 maternal relative.

          What we're getting at, though, in -- and I'll be  
discussing this more later -- is that there are many  
4 thousands of types, and the relative frequency of any one  
5 type is very low. So, the idea is, to test a particular  
idea or theory in a case, you will make a comparison between  
the mitochondrial DNA type of an evidentiary sample and  
compare it to a known individual. And in fact, the  
statistics can tell you that, by and large, the vast  
10 majority of people would not have this type and a very small  
11 pool of people could. So, it can be additional information,  
12 and for that reason, we like to refer to it as a piece of  
13 the puzzle when we're looking at a criminal case.

          Let's go to the next overhead. Now, again, we're  
15 going to be interested in both kinds of DNA briefly. If you  
16 think of a cell almost like an egg, the yolk of that cell  
17 would be analogous to the nucleus and the white of the egg  
18 would be analogous to the cytoplasm. In the nucleus, we  
19 have the nuclear DNA, and this is the kind given to us by  
20 both our parents. It causes hair color and eye color,  
21 certain diseases that we may be prone to inherit, and it is  
2 found in the form of 40 to 46 chromosomes. Outside in the  
23 cytoplasm are these very small organelles. They have a  
24 peanut shape. They're called mitochondria, and they help  
25 provide energy for the cell. They're very important to the

1 cell, and it turns out that they have their own DNA  
molecules.

3           Now, whereas, the nuclear DNA has about three  
4 billion of those As, Ts, Cs and Gs in its compliment per  
5 cell, a mitochondrial DNA molecule only has about 16-1/2  
6 thousand of those **As, Ts, Cs** and Gs. And there are hundreds  
7 to thousands of mitochondria in every cell, and there are  
8 one to ten mitochondrial DNAs in each mitochondrion. That's  
9 the single form of it. So, consequently, in any cell -- and  
10 we're talking about white blood cells and hair cells,  
11 skeletal material, any kind of tissue, there are hundreds of  
12 thousands of copies of mitochondrial DNA compared to the two  
13 copies of nuclear **DNA**. So, this is one reason why this  
14 tends to be a very, very good forensic tool, because you can  
15 recover mitochondrial DNA from things like shed hairs, which  
16 essentially have no nuclear DNA.

17           THE COURT: Whether or not you have .a root?

18           THE WITNESS: Whether or not you have a root. In  
19 fact, for mitochondrial DNA testing, you only need a piece  
20 of the hair shaft, and in fact, in our laboratory, we've  
21 successfully obtained mitochondrial DNA from fragments as  
22 small as two millimeters of hair. Now, the mitochondrial  
23 DNA molecule is circular. It still has that double helix  
24 shape, and it turns out there's one area, one end of this  
25 molecule that tends to be highly variable among individuals.

3 It's called the control region. And the interesting fact  
4 about it is it probably has some function in terms of the  
5 molecule's ability to reproduce itself, but in fact, it  
6 doesn't really have any other major functions. Therefore,  
7 it is free to mutate and this is why there are so many  
8 differences between people in the world, because over time,  
9 mutational changes have accumulated causing most people to  
10 be different from each other.

11 Now, in this region, a laboratory typically looks  
12 at two regions, HV1 and HV2. HV stands for hyper variable.  
13 And again, it's the As, Ts, Cs and Gs in this section that  
14 we look at. This is only a tiny piece. Most laboratories  
15 look at about 700 of those As, Ts, Cs and Gs in this region.  
16 And each piece is about 350 bases long, so for a total of  
17 700. We are going to sequence or determine the order of  
18 those chemical bases in those two little regions.

19 THE COURT: What kind of technique do you use for  
20 sequencing?

21 THE WITNESS: Well, the process for doing that is  
22 what I'm going to talk about next. So, if we could go to  
23 the next overhead. The first two stages of a mitochondrial  
24 DNA analysis are exactly the same as an STR analysis. That  
25 is the nuclear DNA testing that's done in most laboratories.  
26 The first stage is extraction. This is simply nothing more  
27 than purifying the DNA in any cellular material away from

1 anything else in that sample. Secondly, we do something  
2 called amplification, and you've probably heard of the PCR  
3 process. This is a way to make billions of copies of the  
4 particular piece of DNA that you're interested in, and in  
5 our case, it's HV1 and HV2 that we just talked about. And  
6 after you've made all of these millions of copies, then you  
7 proceed to the sequencing stage.

8 THE COURT: And there's no significant degradation  
9 in the PCR amplification? You have enough of a sample to  
10 then test for what you need to get?

11 THE WITNESS: Yes. Yes, you do. In fact, you can  
12 start with an extraction that contains as few as ten copies  
13 of DNA and obtain millions of copies from that.

14 BY MR. HILE:

15 Q Doctor Melton, can I interrupt just for a second.  
16 We've been talking mainly about hair, but can you get a  
17 sample for purposes of comparison from other types of  
18 samples, whether it be bone, saliva, other things?

19 A. Yes. All the tissues within an individual will be  
20 expected to have the same mitochondrial DNA profile. So,  
21 any tissue is suitable. Cheek cells, like a swab from the  
22 inside of the cheek, or a blood sample. So, continuing on  
23 now with sequencing, sequencing is the process that's been  
24 around for about 30 years now. It's used in the human genom  
25 project to decipher the human genetic code. And it's simply

1 nothing more than determining the order of those chemical  
2 bases in those two regions, HV1 and HV2. Now, again, this  
3 is just a tiny piece. We're going to look at 780 of those  
4 in our laboratory, and in fact, we get multiple looks at  
5 those regions by looking at overlapping PCR products, and by  
6 sequencing both sides of the double helix. So, even though  
7 we're looking at 780, we're actually producing about four  
8 times that much data and aligning it together to look at  
9 different places multiple times. So, we can go to the next  
10 overhead.

11         So, the idea of doing this test -- I mean, obviously,  
12 we've talked about all the nitty gritty here, but we want to  
13 know if two samples could have shared the same source. So,  
14 we look at a question sample, we determine the DNA sequence  
15 for HV1 and HV2 from that sample, and then we go to a sample  
16 from a known individual and make a comparison over those  
17 same regions. And if at every single one of those  
18 positions, the bases are the same, then the conclusion will  
19 be we cannot exclude person A and his or her maternal  
20 relatives as the source of sample B.

21         Now, if there are as many as two differences out of  
22 those 783 places that we look at in our laboratory, then, if  
23 there are two differences or more, then the conclusion will  
24 be this person could not have left this hair or this sample,  
25 this skeletal material, whatever it is. So, we're looking

at whether or not a person or his or her maternal relatives  
2 can be excluded. Then, when we get the situation where  
there is a match between the two sequences, remember, we're  
4 not saying this is from this person because it's not a  
5 unique identifier. We want to know what is the significance  
6 of this match that we've made. How many -- obviously, if  
7 everyone in a population has the same type, to make a match  
8 isn't very significant. So, we're interested in how common  
9 or rare a particular type is in the general population in  
10 order to understand the context or the meaningfulness of  
11 that match. So, let's go to the next overhead.

12 THE COURT: This is not useful -- it's not  
13 necessary to do this type of DNA testing to exclude Kevin  
14 Cooper from the hairs in the girl victim. You agree with  
15 that.

16 THE WITNESS: Well, I believe that, to date, the  
17 microscopic evaluation of those hairs has indicated that no  
18 hair similar to Mr. Cooper's were found. So, I think the  
19 idea here is to test an alternative hypothesis, which is,  
20 are there hairs there that are not from the family and could  
21 be from alternative individuals. So, the idea of the  
22 mitochondrial DNA testing is to move toward a testing of  
23 that idea rather than excluding Mr. Cooper, although the  
24 byproduct of testing him as well would be to determine that  
25 he is excluded.

1 THE COURT: But, we already know he's excluded.

2 THE WITNESS: Well, hair microscopy is not 100  
percent accurate. Therefore, we have to be very certain  
that the hair microscopy has been done looking at all the  
5 characteristics that determine whether someone should  
6 be -- whether follow-up DNA testing should be done.

7 MR. RILE: Your Honor, if I could comment on that  
and ask a question of Doctor Melton. Your Honor is correct.  
No one in the case has asserted that any of the hairs that  
10 are clutched in the hands of the three victims who are at  
11 issue here --

12 THE COURT: What we don't want to do is start  
13 going down and knocking down straw men.

14 MR. HILE: Right.

15 THE COURT: Let's focus on what --

16 MR. RILE: That's exactly right.

17 THE COURT: What we're really focusing more on is,  
18 is there some alternative perpetrator.

19 MR. RILE: That's correct, your Honor, and what we  
20 have that we are looking at testing is three of the victims  
21 had hairs clutched in their hands. And what we're hoping to  
22 do through this process that will lead to the end in the  
23 mitochondrial testing is to determine whether or not some of  
24 those hairs are from someone who is not a victim -- we  
25 already assume it's not from Kevin Cooper -- so we can place

a non-victim at the scene of the crime at the time whose hairs are in the hands of one of the victims.

THE COURT: In the hand. And beyond Jessica,  
4 there was who else?

5 MR. HILE: Doug Ryan.

6 THE COURT: Pardon me?

7 MR. HILE: Doug Ryan, the father, and Jessica and  
8 the mother, Peggy Ryan. All three had hairs clutched in  
9 their hands. And that process of determining whether there  
10 is a possibility that we can identify there was another  
11 person there at the time whose hair is clutched in the hands  
12 of the victims that is not one of the victims and not Kevin  
13 Cooper is what we're doing.

14 THE COURT: Now, I'll let you further develop this  
15 with your witness. As to that, if it turns out that -- do  
16 you need the known sample to make this meaningful?

17 MR. HILE: The known sample, what we'll need to do  
18 is to compare.

19 THE COURT: Here, the slide says that there is a  
20 sample compared to a known sample. If, for example, in a  
21 trial, if somebody has a Fifth Amendment or Fourth Amendment  
22 issue, even though you might just maybe ask that, you might  
23 not be able to get that. So, if it's a cost benefit  
24 analysis, if it turns out that there is no way to get a  
25 known sample and the only benefit is to get the comparison

1 between the known sample and the testing sample, then we'd  
want to know how much is this going to cost to test  
every -- meaning, theoretically, you could test every  
4 possible hair, or is there a representative sample, and is  
5 there a realistic way, absent constitutional issues, that  
the Court through this process can develop this theory.

MR. HILE: Yes, and let's try to -- there's a  
bunch of things that you've said there, and let me try to  
9 deal with them one at a time and get Doctor Melton to  
10 describe them. Let's first start with trying to exclude the  
11 victims themselves as the people whose hair is clasped in  
12 their hands.

13 THE COURT: Because I think that's valuable.

14 BY MR. HILE:

15 Q That is valuable, and that is one of the things, is  
16 that correct, Doctor Melton?

17 A Yes, of course.

18 Q And how will you go about doing that comparison to  
19 establish that?

20 A Well, a number of hairs -- questioned hairs are tested,  
21 or, alternatively, we can test or develop mitochondrial  
22 profiles on the family members and the victim, Chris Hughes.  
23 Now, Mrs. Ryan and her two children will have the same  
24 mitochondrial profile, so we only need to test one of those  
25 three individuals to develop their mitochondrial profile.

1 Mr. Ryan would have a different type, in theory. Chris  
Hughes would have a different type, in theory. So, we  
developed those profiles. Then, if we have other  
4 individuals we want to add to that pool of known  
5 individuals, such as Mr. Cooper, we can do that. Then, we  
6 test -- we develop those profiles and then we test the hairs  
7 by comparing those against the knowns.

8 THE COURT: So, we could find out are they  
9 victims' hairs or not.

10 MR. HILE: Correct, and that's the first  
11 step -- and as the Court said, we think that's a valuable  
12 part. And I want to get to that in a second. But, there is  
13 the second part, let me put to the side, but it's important  
14 for us to keep there, which is the possibility, given that  
15 there are some suspects that we've identified in the  
16 petition, who we think may be the perpetrators, there is a  
17 possibility that if we could get samples, mitochondrial  
18 samples from --

19 THE COURT: Voluntarily.

20 MR. HILE: Voluntarily, yes, or in other ways  
21 through existing samples, for instance, that might be, then  
22 we may be able to do that. That, of course, is a second  
23 part, which we will be looking at and trying to determine.  
24 But, the first step is -- at least, the one that we're  
25 working at for purposes of what I want to discuss next,

which is the issue of microscopy.

THE COURT: All right.

MR. HILE: And let me just set that up for my question to Doctor Melton by this.

BY MR. HILE:

Q Doctor Melton, for purposes of doing the analysis that you do and the comparison, what types of activities have to be done with the hair to be tested?

A Well, we recommend -- in fact, we have it in our written memo that we ask our clients to sign, we recommend that all hairs undergo microscopic evaluation and photography, if necessary, because as scientists we feel this is simply more data collection that should be done prior to testing. So, microscopic evaluation and comparison of hairs to each other, of hairs to known individuals, and this would not be done in our laboratory.

THE COURT: What is the cost of that?

THE WITNESS: I don't know the cost of that.

THE COURT: And is that something that, if all you want to know is are these -- I mean, if you can get a realistic sample and say, here is this and this is not the victim, do you have to go through this other process?

THE WITNESS: Well, microscopic evaluation --

THE COURT: Especially if it's already had some other analysis done.

1 THE WITNESS: Microscopic evaluation is a  
2 screening tool that can often be useful in suggesting which  
3 hairs may fall outside the range of groups of hairs and  
4 suggest that those hairs may be candidates for testing. In  
5 other words, for example, if you have 50 hairs at a crime  
6 scene and you can cluster those hairs into groups of similar  
7 hairs, then you might not need to test all 50 hairs. You  
8 might be able to select candidate hairs from those groups  
9 for testing.

10 THE COURT: What we'd have to do is a cost benefit  
11 analysis to see whether there's a common sense way to do it,  
12 or whether this is a scientifically required way to do it.

13 MR. HILE: Yes, your Honor, and let me comment on  
14 that, if I may, because it relates to Doctor DeForest, who  
15 is the other person. When we talked to Doctor Melton about  
16 doing the mitochondrial testing, this issue of screening the  
17 hair came up. And there were two reasons to screen,  
18 obviously. One is because the cost of each test under the  
19 mitochondrial testing is significant.

20 THE COURT: Is it 3,000 a hair?

21 MR. HILE: Twenty-five hundred, I think it is.

22 THE WITNESS: Twenty-five hundred dollars, yes.

23 THE COURT: A hair. And how many hairs do we  
24 have?

25 MR. HILE: We have lots of hair, your Honor. And

1 that's the --

2 THE COURT: Maybe we could sequence is so that we  
3 could do a couple of hairs first and get those results  
4 before we do all.

MR. HILE: Absolutely, and we're not suggesting  
6 that all of them will be testing. But, let me just comment  
on that for a.. second. Doctor Melton told us that this  
process of examining the hairs prior to mitochondrial  
testing and deciding which ones to test, which would have  
10 the most likelihood of being useful and are properly  
11 presented so that they can be tested this way, is something  
12 that is called microscopy. And Doctor DeForest is, among  
13 other things, a microscopist. And he can accomplish two  
14 things for us. The first will be he can, through the  
15 process that he puts the hair through, narrow down the  
16 sample that we need to test. And second, he can try to find  
17 out which are the best and prepare those hairs in the best  
18 way so that Doctor Melton's lab can have the best chance of  
19 accomplishing a yes or a no or something significant for  
20 purposes of the case.

21 THE COURT: Just remember, we have context, and  
22 the context is a clump, and the theory is that it's a clump,  
23 that somebody is holding a clump of hair. Chances  
24 are -- common sense would tell you that, if it's somebody  
25 holding a clump of hair, they're not holding five different

1 people's hair, most likely.

MR. HILE: Exactly. But, since we have three  
victims with hair in their hands, what we're hoping to do is  
4 that, through Doctor DeForest's --

5 THE COURT: One per set?

6 MR. HILE: He may be able to, as Doctor Melton  
7 said, say not only are these hairs not -- don't look  
8 consistent with the victim or any of the victims, but they  
9 seem to be similar to ones that are in the other hands, so  
10 if the same person was the perpetrator, we might be able to  
11 do that.

12 THE COURT: That makes some sense.

13 MR. HILE: And that is the whole process that  
14 Doctor DeForest will do. And as I say, Doctor Melton  
15 suggested to us, when we talked to her, that he was, in her  
16 opinion, the person best qualified to do this type of  
17 microscopy to not only give us the best chance of a result,  
18 yea or nay, but also to keep the costs down, because he is  
19 considered one of the best microscopists, and therefore, his  
20 conclusions we expect are such things as whether the same  
21 hair is in the hands of more than one victim. It's  
22 something that he is the best person to discover.

23 BY MR. HILE:

24 And let me just say, Doctor Melton, I didn't mean to  
25 steal your thunder at all. Can you comment upon what it is

1 that you expect the microscopy to do?

2 A Well, first of all, microscopic evaluation includes the  
3 evaluation of a number of characteristics of each individual  
4 hair, color, diameter, size, different structures in the  
5 hair. And I'm not a hair microscopist, so I can address it  
6 in a very limited way. But, what that allows a microscopy  
7 expert to do is to suggest clusters or groups of similar  
8 hairs. So, that is the value of that. Then, proceeding  
9 onward to say this hair, for example, is in a group with  
10 four or five other hairs, but it is twice as long as those  
11 four or five other hairs, therefore it's divisible. So, we  
12 can cut it in half, take half for testing, and leave half  
13 for a second test, if someone wants it. So, a microscopist  
14 is also going to evaluate the suitability of the sample for  
15 divisibility and preservation.

16 THE COURT: All right, we can move on.

17 MR. HILE: Thank you, your Honor.

18 BY MR. HILE:

19 Q Now, Doctor Melton, could you please just discuss for a  
20 second where mitochondrial testing is available? I  
21 understand that it's available at Mytotyping, your  
22 laboratory. Is it available elsewhere?

23 A It is available at the FBI laboratory. They were the  
24 first laboratory to actually present mitochondrial DNA  
25 testing in a court in Tennessee. It is used at the Armed

Forces laboratory to identify the remains of missing individuals from military conflicts, and the Tomb of the Unknown Soldier is a good example of that having been done. There are -- there is the chief medical examiner in New York does their own testing. There are very few state and local labs. I believe the California Department of Justice is planning or is implementing a missing persons program to identify individuals whose skeletal remains have been recovered.. Then, there are a number of private laboratories, such as mine, about half a dozen of them. Now, my laboratory only does mitochondrial testing. We're somewhat specialists in that area, and we do specialize in working with difficult old samples, and we do a lot of cold cases. We do some post-conviction testing and that kind of thing.

**Q** Has law enforcement used your lab for the cold case or the old samples instead of themselves?

**A** Yes. We receive a lot of referrals for samples that are twenty years old and older. I believe the oldest hair we've ever tested is 37 years old. We did obtain results from that. We actually tested some skeletal remains that were a thousand years old and got results from those. So, we do specialize in difficult and old samples, cold cases.

**Q** With respect to -- we described the cost a minute ago. Can you describe for the Court how long it would take once

1 samples were identified and properly prepared, how long it  
2 would take for you to do your analysis?

A Well, we handle samples individually in our laboratory,  
4 but we have three technicians. So, we can have everyone  
working on a single case. It takes about two to three days  
to do a single hair. But, usually, the number of hairs in a  
7 case doesn't exceed ten or twelve at the most. So, usually,  
8 three to four weeks is all we need to do some of the largest  
9 cases we've ever received. I think the Green River case, we  
10 have done something like 57 samples, and that's by far the  
11 biggest case we've ever worked on. So, probably four times  
12 bigger than the biggest case we've ever worked on.

13 Q Has your office been contacted by the State in this  
14 case?

15 A Yes, Doctor Steinberger contacted my office while I was  
16 away. I've been away a lot lately. And talked to Doctor  
17 Nelson. He was our other Ph.D. analyst. And inquired as to  
18 the cost and as to the appropriate way to screen or diminish  
19 potentially the number of samples out of a pool of many  
20 samples. Doctor Nelson didn't realize this was the same  
21 case when Doctor Steinberger called. So, when I got back  
22 and she said we got a call from California and here's what  
23 we talked about, and she said, "I told Doctor Steinberger  
24 that Doctor DeForest would be the person we would recommend  
25 to do some microscopy." And that's really where that

1 conversation ended.

MR. HILE: Is there anything else that the Court  
3 would like to know about --

4 THE COURT: What are the limitations? What are  
the problems?

6 THE WITNESS: The limitations are  
certainly -- well, can I back up onto the statistics,  
8 because we really never talked about that. And I think  
9 that's a relevant issue. Most data bases that exist  
10 throughout the world for mitochondrial DNA show the same  
11 pattern, which is a phenomenally large number of different  
12 types with any single type being at a very low frequency.  
13 So, when you get a match, even though you can't say, well,  
14 we know this hair is from this person because it's not a  
15 unique identifier, we can say in a situation where it's a  
16 brand new type that we've never seen before, using the FBI's  
17 database, which is a way to estimate how common or rare a  
18 type is, when we have a brand new type, we can say, at the  
19 size of this current database, 99.94 percent of North  
20 Americans would not be expected to have this type. So, in  
21 other words, you can eliminate a huge proportion of the  
22 population as being someone who has that type.

23 THE COURT: Can you eliminate Hispanics?

24 THE WITNESS: Yes, you can eliminate anyone who  
25 doesn't have that type. So, the idea is, when you have a

match in a case, you can never say to the trier of fact, we  
2 know this hair is from Mr. So-and-so. You can say, if it  
doesn't match, we know this hair is not from Mr. So-and-so.  
4 But, when you have a match, you can never say we know it's  
5 his, because we know it's not a unique identifier. But, we  
6 can say we can eliminate 99 point something percent of the  
7 population in most cases.

8 THE COURT: Statistics are interesting, because  
9 let's say you have a Hispanic individual that has in the  
10 family tree multi-racial influence from the mother. Where  
11 is that going to get you?

12 THE WITNESS: Well, the idea is that it's  
13 inherited maternally. In the database, people do self-  
14 identify. In the database, there are currently 4,837 types  
15 in there -- or individuals in there. And each of those  
16 people has said --

17 THE COURT: Is this NCIS or what is this?

18 THE WITNESS: This is the mitochondrial DNA  
19 database that is managed and vetted by the FBI mitochondrial  
20 DNA unit. They have a unit that does just mitochondrial  
21 DNA.

22 THE COURT: And where does the database come from?  
23 Who are the subjects?

24 THE WITNESS: It is -- the subjects are volunteers  
25 who have given a sample so that their mitochondrial DNA

profile can be included, and the purpose of the database is  
2 only to estimate a frequency of a type. It's not used to  
search for someone. It's not used in a crime to see if  
4 someone's in there who might match. It's used to say, in  
this case we have a match, and this type, we want to know,  
6 is it a common type or is it a rare type? If it's a rare  
7 type, it could be very useful, because you've automatically  
8 eliminated many people who might have left that sample.

9 THE COURT: You have a high degree of certainty  
10 that we could identify whether these hairs are victims or  
11 non-victims.

12 THE WITNESS: Yes.

13 THE COURT: As to identifying whose they are,  
14 that's more speculative.

15 THE WITNESS: Well, let me put it this way, and if  
16 I could give a case example. We had a case in Traverse  
17 City, Michigan about four years ago where a young woman was  
18 brutally bludgeoned to death in a hotel office, and they had  
19 no suspects. They did identify a suspect eventually through  
20 other means. At the crime scene, they discovered a tire  
21 valve stem core in the pool of blood with a pubic hair  
22 wrapped around it. Okay.

23 THE COURT; So, you could take that and then match  
24 it to the database that gave a person?

25 THE WITNESS: No, the idea would be to look for

1 someone who is a candidate suspect based on other  
2 information. And they also then identified this particular  
3 suspect, his name was Kevin Holtzer (phonetic), and he was  
4 an employee of a tire manufacturing company, and when they  
5 investigated, searched his apartment, they found another  
6 long hair that was microscopically similar to the victim's.  
7 So, it was a kind of double evidence case. They matched the  
8 hair from the tire valve stem core to him, we did. The hair  
9 from his apartment was the same as hers. Now, we couldn't  
10 say for sure that's his hair and that's her hair. But, we  
11 could say, you know, these types are so rare and we have  
12 this other evidence in the case, and you know, the  
13 investigators tied a lot of loose ends together, and the  
14 conclusion was, we can't say for sure it's his hair because  
15 potentially another person in the population might have this  
16 type, but in fact, he worked in the tire factory. It  
17 becomes another piece of the puzzle, another piece of  
18 evidence. The purpose of the database in that case was to  
19 say, have we ever seen this type before, and if so, how many  
20 times, and how often does that type occur in the population,  
21 given that we've seen it this many times in the database.

22 THE COURT: Do you believe in the validity of  
23 nuclear DNA?

24 THE WITNESS: Absolutely.

25 THE COURT: Do you think that nuclear DNA can be

more definitive?

THE WITNESS: It can be more definitive, but there are certain kinds of evidence -- it is more definitive, but there's certain kinds of evidence that it can't be used on, such as shed hairs or hairs with no roots. That's why mitochondrial DNA is the fallback technology for these kinds of samples.

MR. HILE: And your Honor, the way I understand this would work, and Doctor Melton will certainly correct if I'm wrong, is that for purposes of the first analysis that we talked about, that is, excluding the victims as being the people who gave the hair that is clasped in the hand, that can be done with certainty under mitochondrial DNA testing. Where you have less than absolute certainty but a very, very, very high probability is to include somebody else who we might be able to get a sample from.

THE COURT: The known sample.

MR. HILE: Right.

THE COURT: The known sample, Fourth Amendment, Fifth Amendment issues.

MR. HILE: Right. So, we will get --

THE COURT: Voluntary or if you find something else that was there that matches.

MR. HILE: We do have the possibility of certainty with respect to the exclusionary part of what we're trying

1 to do. But, we won't have complete certainty, although it  
2 will be virtual with respect to the second part of that, and  
3 the scientists will be able to tell us more about that.

4 THE COURT: And one more question. Animal hairs.  
5 Can you identify human versus animal?

6 MR. HILE: Our laboratory doesn't work with non-  
7 human hairs. But, there are specialists who do  
8 mitochondrial DNA on non-human hairs.

9 MR. HILE: I'll answer that one, your Honor, if I  
10 may. And that's just, again, Doctor DeForest.

11 THE COURT: Oh, okay. By his screening or what?

12 MR. HILE: Yes. One of the things that  
13 microscopists can do with great certainty is to eliminate  
14 animal hair and to identify what has to be human hair for  
15 purposes of an analysis. And they go far beyond that with  
16 respect to human hair. But, that's one of the things that  
17 they are capable of doing.

18 THE COURT: All right. Anything else?

19 MR. HILE: Not at this point, your Honor. Let me  
20 just say that -- let me tell you about our logistical issue  
21 with Doctor DeForest for the Court. The reason he could not  
22 be here today is because he was involved in a conference in  
23 Virginia. And he is available by phone if the Court wants  
24 to hear about the microscopy part of this. It isn't  
25 necessary if the Court doesn't feel that it is necessary

1 here today. But, he could tell the Court a little bit about  
2 what he would say needs to be done in order to present  
samples that Doctor Melton could then adequately test for  
the mitochondria) DNA that we've talked about. The other  
place, which you'll hear in a minute, that Doctor DeForest  
6 is someone who is an expert in -- as I said, he is not only  
a microscopist, but he is a criminalist generally. And he  
would be a person who, as you'll hear from Doctor Ballard,  
9 would be the set-up person for purposes of the EDTA, oxalic  
10 (phonetic) acid and citric acid.

11 THE COURT: Okay, so, why don't we defer him. And  
12 I recognize he's three hours different, but if we need to do  
13 him another time, we can always do that as well.

14 MR. HILE: Thank you, your Honor.

15 MR. ALEXANDER: Your Honor, if I might just take a  
16 moment to add one thing while Doctor Melton is still here.

17 THE COURT: Tag team?

18 MR. ALEXANDER: A little bit. I apologize, your  
19 Honor. And that is simply, because the mitochondria) DNA  
20 testing is maternally related, if you've got a sibling of  
21 the suspect -- and that obviously also has some  
22 constitutional issues and the like, but you may be able to  
23 get somebody more willing to provide that information than  
24 somebody --

25 THE COURT: Oh, I see what you mean.

1 MR. ALEXANDER: A sister or mother or brother.  
So, you have that opportunity. It's part of the  
fascination.

4 MR. HILE: Maternal relative, let's put it that  
5 way.

6 THE COURT: .Yes, all right, thank you. Do you  
7 want to cross or do you want to address your issues, or do  
8 you think that it's not as to this issue, we have enough  
9 background?

10 MS. WILKENS: Well, I'd love to ask a few  
11 questions. I didn't know if it was permissible.

12 THE COURT: Okay, you may.

13 CROSS EXAMINATION

14 BY MS. WILKENS:

15 Q Doctor Melton, I wasn't quite clear on the answer about  
16 eliminating Hispanics. Is the database such that, if  
17 someone were Hispanic, you would be able to look at certain  
18 results and determine that no Hispanic person would generate  
19 those particular results?

20 A I'm not sure what you're asking. The database is used  
21 as a whole and it is used to identify whether a particular  
22 type is in the database, yes or no, and the database is --

23 THE COURT: Let me try to interrupt. I think what  
24 we're trying to say is one of the suggestions in the  
25 petition and from one of the victims' earlier comments in

1 the hospital, there's been a question as to whether a  
2 Hispanic or more than one Hispanic was the perpetrator. And  
3 so, what we're trying to find out is, on race  
4 characteristics, for example, on nuclear DNA, it's quite  
5 specific that you can say this appears in the African  
6 American population and it does not appear in the white  
7 population. Is there a similar result that we can get  
8 where, by doing the mitochondrial DNA, we can then say this  
9 could not possibly have come from a Hispanic individual?

10 THE WITNESS: I see. I think I needed the context  
11 for the question in order to understand what you were asking  
12 me. In fact, there is a fairly good correlation between  
13 ethnicity and mitochondrial type. However, in North America  
14 especially, we must be very cautious about saying this  
15 sample has a recognizably African profile, therefore, the  
16 person who left it must be African American, because  
17 especially in North America, there's been a lot of add  
18 mixture, a lot of intermarriage and --

19 THE COURT:. But, let's -- African American,  
20 because I don't necessarily think that that's going to be  
21 our focus, at least from the petition. It's more on does  
22 that pertain to Hispanic or white individuals?

23 THE WITNESS: It applies to every -- the example  
24 was just an example. It applies to every group identically.  
25 And Hispanics --

1 THE COURT: Because we're a melting pot?

2 THE WITNESS: Because we're a melting pot, and any  
3 Hispanics, Hispanics, Native Americans and Asians can share  
4 a lot of similarities in their mitochondrial DNA. However,  
5 it is a useful investigational tool. If you find a profile  
6 that is recognizably similar to a well-characterized ethnic  
7 group, you can tell someone, you know, you might want to  
8 look at this group. But, we are so careful not to make  
9 these generalizations without those caveats, because we  
10 don't want a particular group that maybe isn't identified by  
11 that DNA testing to go unlooked at. So, what we want to do  
12 is say, investigational, yes, this profile is recognizably  
13 Hispanic, recognizably Caucasian, recognizably African.  
14 But, it is used that way as an investigational tool. So,  
15 yes, I think that could be useful. It's just from a civil  
16 liberties standpoint. You want to be very careful how it's  
17 applied.

18 BY MS. WILKENS:

19 Q Now, how do you determine whether or not hairs are  
20 clutched as opposed to merely adhering to blood?

21 A. That is a question for a criminalist or a hair  
22 microscopist. I can't answer that question.

23 Q Okay, and with respect to the microscopic examination  
24 of the hairs, if that in fact had been done, is that  
25 something that would be useful to you to review what had

1 been done previously in terms of microscopic examination of  
2 the hairs?

A I assumed that it would be. However, I don't know what  
kind of evaluation was done. I think there are different  
5 kinds. One is just a general looking at under a stereo  
6 microscope. Then, there's one where you look at the hairs  
7' under higher power microscopes and you write down different  
8 characteristics such as size, diameter, color, and those all  
9 have different categories, structure of the nebula  
10 (phonetic), structure of the cortex and so forth. There are  
11 in-depth kinds of investigations that can be done on hairs.

12 THE COURT: Does this go to the issue of Doctor  
13 Blake's notes?

14 MS. WILKENS: And Doctor Thornton.

15 THE COURT: And Doctor Thornton. Can we get those  
16 turned over?

17 MR. HILE: Yes, your Honor. I think that, for  
18 sure, we are going to -- we will -- I think Doctor  
19 Thornton's notes everybody agrees do not exist anymore.  
20 But, we're trying to find them.

21 THE COURT: The only notes that we have, uh-huh.

22 MR. HILE: What I would suggest, given the answer  
23 to the question, is that both sides give each other all of  
24 the testing, notes, lab notes, bench book, whatever there  
25 is, so we have all of the data that has been gathered before

1 over the last twenty years, that both sides have that so  
that the testing at least can be done with that. I know, in  
talking to Doctor DeForest, he said to me that was one of  
the things that he wanted to look at was what type of  
5 analysis has been done and what were the results of that  
6 earlier on, so he can see whether or not that is significant  
7 to what he's looking at. So, absolutely, we are happy  
to --

9 THE COURT: This kind of goes back to the  
10 preliminary comments that I made that I know a lot has been  
11 done already and then a new team comes on. You're the third  
12 team. You're the third team. And the Court has had  
13 significant resources given out already, and so I'm trying  
14 to avoid a re-invention of the wheel.

15 MR. HILE: Absolutely, your Honor.

16 THE COURT: So, you can meet and confer outside on  
17 that. But, at least with respect to the agreement that was  
18 reached for the DNA testing, Doctor Blake's notes should be  
19 turned over.

20 MS. WILKENS: We're in full compliance with  
21 respect to the agreement, and we know that Doctor Blake took  
22 notes because our scientist was present when he did so. And  
23 we've made repeated requests. And with respect to Doctor  
24 Thornton, there was a representation made to Judge Kennedy  
25 that his files were lost. But, we have understood that

1 Doctor Thornton has used those notes in the last few years  
for teaching purposes. So, there may be other sources,  
because he teaches a class where they use notes from Cooper  
4 and O.J. Simpson and other cases. So, it may be possible to  
obtain those notes from sources other than his missing file.

THE COURT: All right, thank you,

7 MR. HILE: And we think that -- we are working  
hard to get that, because we think it's for everybody's best  
9 interest, and we'd just ask that the state do the same, give  
10 us anything that they have that relates to that examination.  
11 I think that would be fair.

12 MS. WILKENS: We've already turned it over.

13 THE COURT: So, you wouldn't object to the Court  
14 authorizing the issuance of a subpoena for Doctor Blake's  
15 notes and Doctor Thornton's notes.

16 MS. WILKENS: No, that would be very helpful.

17 MR. HILE: No, your Honor.

18 THE COURT: All right, who wants to prepare the  
19 subpoena?

20 MR. HILE: We will, your Honor.

21 THE COURT: All right. If they prepare and serve  
22 it, it's your expense.

23 MR. HILE: I thought that -- well, we are trying  
24 to make sure that we get as much information from both  
25 sides, and so, if Ms. Wilkens prefers, that's fine.

1 MS. WILKENS: I don't prefer to incur any costs  
2 that I don't have to.

THE COURT\_ I'd prefer it beCause:that's not out  
of a pro bono fund budget that we have. So, why don't you  
5 do -- you want Doctor Blake and Doctor Thornton. You do  
6 that. And then, if there's any other ones that you think  
they haven't turned over, identify that and then you can  
8 subpoena those.

9 MR. HILE: Thank you, your Honor.

10 THE COURT: All right.

11 BY MS. WILKENS:

12 Q Doctor Melton, just one more point. Do you have  
13 any --

14 THE COURT: As to those, direct it through  
15 counsel.

16 MR. HILE: Yes, your Honor.

17 THE COURT: As to Doctor Blake and Doctor  
18 Thornton, I think it should go directly to Doctor Blake and  
19 Doctor Thornton. Don't you think?

20 MR. HILE: Copy to us, yes, your Honor.

21 THE COURT: Oh, yes.

22 MS. WILKENS: Thank you, your Honor.

23 BY MS. WILKENS:

24 Q Doctor Melton, just one more quick point. Do you have  
25 any sense of how long it takes to do the microscopic

1 examination? I realize it's not your area of expertise, but  
do you have any sense of that?

A I do not. I'm sorry.

4 THE COURT: All right, thank you. You may step  
5 down. We'll keep you in reserve in case we have other  
questions that are developed along the way.

MS. WILKENS: Your Honor, we have a presentation  
8 by Doctor Steinberger, and if I could have her sworn in as a  
9 witness, then with the 'Court's indulgence, she'd like to  
10 stand at her computer.

11 THE COURT: If she stands at her computer, we'll  
12 give her a hand-held mike, or a walking -- maybe the walking  
13 mike might be best. And I think as far as our time, it's  
14 kind of going a little longer than we thought. So, we do  
15 have time available.

16 EVA STEINBERGER - RESPONDENT'S WITNESS - SWORN

17 THE CLERK: Please state your name for the record.

18 THE WITNESS: Eva Steinberger.

19 THE CLERK: Please spell your last name for the  
20 record.

21 THE WITNESS: S-T-E-I-N-B-E-R-G-E-R.

22 THE CLERK: Thank you.

23 THE WITNESS: May I?

24 THE COURT: Yes, you may.

25 MS. WILKENS: Thank you, your Honor. We've

provided in advance a copy of Doctor Steinberger's curriculum vitae, and we will just leave it at that. It's appendix 1.

4 THE COURT: All right, we'll mark that as the  
5 Defense EXhibit 1.

MS: WILKENS: A.

THE COURT: Defense Exhibit A for purposes of this tutorial, and it's received. Both are received. Could I see it?

10 MR. ALEXANDER: Your Honor, I can provide a copy,  
11 if you wish.

•12 THE COURT: Oh, it's in the submission?

13 MS. WILKENS: It is in the paperwork.

14 THE COURT: It's in the paperwork. I've got it  
15 here, then. Actually, it was already submitted as  
16 Exhibit A.

17 MS. WILKENS: Thank you,, your Honor.

-18 THE COURT: The Court receives that.

19 DIRECT EXAMINATION

20 BY MS. WILKENS:

21 Q Doctor Steinberger, if you want to just go ahead with  
22 your presentation, and if we have any questions, we'll jump  
23 in.

24 A The research for this tutorial today was put together  
25 by a team of scientists at the Department of Justice.

Myself, I am the Assistant Chief for the new programs at the California Department of Justice. Steven Meyers, who worked with Doctor Blake under the joint DNA testing agreement for post-conviction testing. Gary Simms, who is the laboratory director of case work and Steven Meyers' supervisor. And Mark Timkin. (phonetic) from our methods development section.

My presentation was originally structured so that I would have it in two parts. One would be with EDTA. The second part would be the mitochondrial DNA analysis part. And both presentations will be structured in the following way. A description of the methods, which will in this case be a repetition of what Doctor Melton already told us.

THE COURT: So, we could move on beyond that?

THE WITNESS: It's very -- I can skip -- I mean, I can move quickly. But, most importantly, the second two bullets up there are the issues include science pertaining to the methods and the validity or relevance of the results, because as forensic scientists, we not only test anything that comes our way, we also integrate the results. This is what we do.

So, now, I have to close this and go to the second part. If you'll give me two minutes.

THE COURT: Why don't you just go ahead with your EDTA and then we'll have it backwards that way. Why don't you go ahead with your first part on the EDTA.

THE WITNESS: Okay. So, part one -- EDTA. EDTA is not a naturally occurring molecule. It is a synthetic chemical and it was patented in 1935.' It readily Soluble  
4 in water, which is a very important point that we have to remember, and it strongly binds metal ion (phonetic). This is why it has such widespread use in our daily lives,  
7 because EDTA bound metal ions are less reactive than free metal ions. And that makes EDTA useful as an additive in  
9 commercial products for processes for removing metal ions.  
10 And some examples of products that contain EDTA are bathroom  
11 and kitchen tile cleaning agents to remove iron stains, to  
12 soften the water, and improve the cleansing. Some personal  
13 care products, cosmetics, hand lotions, hair care products,  
14 deodorants, and so forth, soaps and mascaras. Some  
15 fertilizers to add in the delivery of minerals. Some fruits  
16 to promote -- retention, and inhibit rancidity. And of  
17 course, in the purple top blood collection tubes -- that's  
18 why we are here today -- to bind calcium ions to inhibit the  
19 clotting of the stored blood. Today, there are no public  
20 reports to indicate that EDTA is present at quantifiable  
21 levels in non-EDTA --

22 Another important fact is that the concentration  
23 of EDTA in blood that has been collected into these purple  
• 24 top or EDTA tubes is expected to be 1.3 milligram per  
25 milliliter, or about .13 percent. I just included --

THE COURT: And does that remain over time?

2 THE WITNESS: Probably, yes: There is one note  
that 'I didn't make, that now that you 'ask the question, this  
concentration is express -- well, that's not important. In  
actual samples, there is some uncertainty of the  
concentration because we're assuming that the nurse who took  
7 the blood took exactly the amount of milliliters that are  
8 supposed to be in the tube. Sometimes, it's a little less.  
Sometimes, it's a little more. But, yes, the amount should  
10 be --

11 This is just a slide to put milliliters and  
12 microliters and nanoliters into some perspective. A  
13 milliliter is a thousandth of a meter, and two liters are  
14 one large soda bottle. A microliter is a millionth of a  
15 liter, and one drop out of a dropper bottle is about 50  
16 microliters. That's a ballpark figure. And one nanoliter  
17 is a billionth of a liter. The same with weight. A  
18 milligram is a thousandth of a gram and a gram is about the  
19 weight of a paperclip. A microgram, the same, is a  
20 millionth of a gram and a nanogram is a billionth of a gram.  
21 So, I try not to go into this too much because it becomes  
22 quite confusing, but it would be important to relate the  
23 sensitivity of the methods to what we actually need to  
24 detect.

25 Now, we did an extensive literature search of EDTA

1 passing in blood, in particular with blood stains, and we  
2 found five publications. These publications represent three  
3 groups that do this kind of testing. The first, one is the  
4 FBI The second two publications are from a group at Carmel  
University, and this group doesn't exist anymore. They were  
dissolved when the main investigator, Dbetor Hamlin,  
Professor Hamlin retired in the fall. And then, Doctor  
8 Ballard's group down here.

9           Now, I have a couple of slides that just very  
10 superficially explain how EDTA testing is done. And I am  
11 not going to go into depth, just the principle behind it.  
12 There are three major steps in this procedure, and the first  
13 that I am going to talk about is called chromatography with  
14 selective ion -- and masspectrometry (phonetic) detection.  
15 So, first, you have to prepare the sample, which is  
16 explained down here. You cut a portion of the blood stain  
17 or substance control in our case. You extract the soluble  
18 DNA by soaking the cotton in water, and you convert all  
19 forms of extracted DNA into a chemical identical form of  
20 EDTA or into some derivative. That's a technicality that is  
21 only important so that you can make good fractions that  
22 contain all the EDTA in this step. So, let's go to the next  
23 step.

24           The next step is a chromatographical approach, and  
25 this can be a chromatography or also an electrophoretic

method, and if you were to relate this to something you're more familiar with, electrophoresis is we're also doing in DNA work. It separates molecules from each other. In this case, this method separates the EDTA from other substances that co-extract into the water when you make the water extract in the very beginning. And as I say, there can be several methods that I used and all of them are adequate.

The next step is the massspectrometer (phonetic) and in this part, you send the separated DNA from after the chromatography into a massspectrometer where the substance is ionized and this causes some fragmentation of the molecules of the smaller ions. And the massspectrometer acts as a mass filter to separate the fragment ions, and that method is very sensitive and is specific for this molecule. In this particular method, although it's very sensitive and already a very good method, there are some interference peaks that can make it more complicated. So, there is an improved method, and if you'd permit me to skip the next three slides because everything in the front is the same.

Where you can see, there are two sets of massspectrometry and we call this tandem (phonetic) MS, or MSMF. After fragmentations, you filter the fragment that you want to investigate further to produce a new fragment, and the result becomes very clean. No interference anymore, and very good sensitivity.

, the conclusion from what we know, first of all, these are the results that these -- groups present in their publications, and Shephard and Henyon (phonetic), the Cornell group that worked with blood plasma, which doesn't exactly represent the blood stain, but it's a very good study, they are sensitive down to one to three nanoliters of preserved blood and one to three nanograms of EDTA.

The FBI group is much less sensitive, it seems, from this result. Remember, one microgram is a thousand times more than a nanogram. But, this is only because their interpretation in this publication is more conservative. They can detect down to a certain level, but they are more conservative in their interpretation. And Doctor Ballard's group has approximately the same detection level as Doctor Henyon's group.

But, what all means is that it's very sensitive and certainly sensitive enough to detect EDTA preserved blood. So, the method is adequate. At least, it is adequate for a pristine blood stain of known volumes. Okay.

And in this context, I define a pristine blood stain as defined to be one that is laid onto a clean substrate where no EDTA was before.

But, now comes a very important point. It is actually the EDTA concentration that is the critical value

1 in differentiating EDTA preserved blood stain and a non  
2 preserved stain. So, in other words, you need to know the  
3 volume of blood that you're testing. If you don't know  
that, the EDTA result is not very --

So, if EDTA is detected at a concentration that is  
consistent with one point -- milligrams EDTA per  
7 milliliter -- that's the number that we saw in the very  
beginning, then the pristine blood stain was very likely  
formed from preserved blood.

10 Now, I'm going into the issues that have to be  
11 considered when you do this type of testing. Issue number  
12 one. Can the volume of blood on the t-shirt be accurately  
13 quantified? The volume of blood that originally made the  
14 stain is unknown and difficult to estimate. And the raying  
15 (phonetic) method that is published by Doctor Ballard is not  
16 suitable in this case because there is an unfavorable ratio  
17 of substrate to blood, and if I may, I will illustrate this  
18 in my next slide.

19 MR. ALEXANDER: Excuse me, your Honor. I very  
20 reluctantly interrupt. But, I think we are now into an area  
21 that goes beyond a tutorial, your Honor. But, we are now  
22 into some argument and I thought this might be appropriate,  
23 but not at this particular time.

24 THE COURT: Your objection is noted. It's  
25 overruled. It's not really argument. I mean, if we want to

test A41 -- first of all, the test tube blood Obviously is  
2 going to have EDTA. I think that's a given, I would think.

3 MS. WILKENS: Yes, your Honor.

4 MR. ALEXANDER: Or another preservative

THE COURT: So, to test the test tube to find out  
if it has EDTA, we would expect that it. does. Then, there's  
7 two other samples, the A41 and the t-shirt. The t-shirt was  
8 never in the custody of the San Bernardino Sheriffs. IT's  
9 been in continuous custody of the San Diego Superior Court.  
10 And the chain of custody -- so, I think we need a chain of  
11 custody hearing as to that. As to A41, there are other  
12 issues as to whether that sample is big enough, or whether  
13 in doing this, we actually ruin the sample. So, it's  
14 context, and it's context that's helpful. To just learn  
15 about EDTA in general is helpful. But, it is still case-  
16 related. So, this is helpful for the Court's understanding,  
17 and then you can point out the issues on your side as well.

18 MR. ALEXANDER: Sure, fair enough. If I might  
19 just point out, your Honor, and because it is an important  
20 context, we believe there was actually access after  
21 the -- it was an exhibit. But, more importantly, based on  
22 the information we have to date, our contention is, in the  
23 instance of the t-shirt, that the tampering that we suspect  
24 or believe occurred was before trial. So that we are not  
25 talking about -- and this has been a confusion or whatever,

1 and perhaps it's our fault. But, our contention relates to  
the handling of the t-shirt before the trial when it was in  
fact in the possession of the Sheriff's Department.

4 THE-COURT: Well, we can go into that. The  
question is as to whether somebody would think at that time  
6 that to contaminate something for later use on a method that  
7 wasn't really recognized at that time.

8 MR. ALEXANDER: Well, we're now clearly into an  
9 area beyond the tutorial and

10 MS. WILKENS: Your Honor, if I could interject --

11 MR. ALEXANDER: Excuse me, Ms. Wilkens.

12 THE COURT: All right, your objection is noted and  
13 overruled at this time.

14 MS. WILKENS Thank you, your Honor, and we've  
15 carefully distinguished between scientific relevancy and  
16 relevancy in the general sense of the case, and we are quite  
17 confident that we are not getting into the areas that would  
18 be legal relevance.

19 THE WITNESS: This is the t-shirt that was  
20 investigated during post conviction testing, and Doctor Abe  
21 Blake (phonetic) and Steven Meyers did the sampling and the  
22 DNA testing on this shirt. You can see where they sampled.  
23 These are the yellow arrows. And I want to emphasize that  
24 not all sampling on this t-shirt was done for blood. For  
25 instance, up here, it was attempted to determine the

habitual wearer of the t-shirt by looking for perspiration  
2 and cells that would contain DNA: But, if you look into  
this area, this is the area where they test for blood. And  
4 on first try, there was very little blood visible, and I  
want to do a magnification here so that you can see a little  
bit better.

7 THE COURT: Can you tell me, what trial exhibit  
8 was this, the t-shirt? Somebody can look that up as we go.

9 MS. WILKENS: I'll look it up, your Honor.

10 THE COURT: You can look it up and you can  
11 continue on.

12 THE WITNESS: Shall I continue?

13 THE COURT: Uh-huh.

14 THE WITNESS: The only thing that you can see on  
.15 this slide is that not all of the DNA types that were  
16 obtained from blood are from a single source. They are  
17 different. For instance, 6F contains a mixture of two  
18 individuals and possibly somebody else that couldn't  
19 conclusively be determined. 6G is not a mixture. It's just  
20 one person and so forth. 6I, 6J are mixtures again. The  
21 mixtures are not all the same. So, therefore, it is  
22 entirely clear that the area where there is blood, and these  
23 are blood smears that we are mostly interested in for DNA  
24 testing, is more homogenous. Now --

25 MS. WILKENS: Your Honor, if I could interject,

1 the trial exhibit number is 169 and you can see it on the  
2 slide, DOLT6/169.

THE COURT: Okay, thank you.

THE WITNESS: Okay, now, magnified, the same area  
5 that we looked at before, you can see that these areas were  
sampled here and here. You have a centimeter ruler on top  
7 of it so that you know how big these samples are. And  
8 Mr. Meyers says that this area here appears to be the most  
9 significant remaining stain that would likely contain blood  
10 consistent with Cooper. So, what I want to point out here  
11 is that this smear is very thin. The published method is to  
12 cut out a piece that contains blood and cut out a piece  
13 without the blood of the same size and weigh the two pieces,  
14 and based on the difference he wrote, you can estimate how  
15 much blood is there.

16 Now, we did a little experiment in the laboratory  
17 where we tried to put one microliter of blood on the  
18 substrate and weighed it, and then we took some from a  
19 cotton t-shirt -- took the average of about one centimeter  
20 and weighed it and it had approximately the same weight as  
21 this one microliter of blood. So, weighing it would not  
22 give you accurate determination of how much blood was there.

23 In addition, the blood may also be a mixture, and  
24 I'd like to point this out. The reason why I'm saying this  
25 is because there was a mixture between here and here. This

is something that we have to keep in mind. And the blood  
2 stain that was originally determined to contain a DNA type  
that matched Mr. Cooper, is almost pristine, there was a tiny  
little bit a little to the left. So, we can see how  
little --

THE COURT:- So, wouldn't the question be, could  
7 you take that -- since we already have this test definitive,  
8 can you take that little remaining area and is that enough  
9 to test that area --

10 THE WITNESS: I don't know if it would be enough.

11 THE COURT: That area compared to another area of  
12 the shirt, where we've got plenty of other areas of the  
13 shirt, and compare it and see if we get that magic 1.3  
14 percentage ratio of preserve, whatever it is, the preserved  
15 blood. If you have it in that one little area and don't  
16 have it on the other part of the shirt, if it comes out so  
17 perfectly, then, that's what the Petitioner would want to  
18 know.

19 THE WITNESS: Well, given that it's been  
20 determined how much blood this was, because if you just test  
21 this blood for EDTA, you may get some value.

22 THE COURT: But, see, you may get some value and  
23 the question would be , if you get the same value in an area  
24 closely in proximity to that area, then -- and if the two  
25 EDTA differences are dramatically different, logic, common

sense -- I'm not an expert -- would say that that would be interesting and worth further exploration.

THE WITNESS: Well, this is actually exactly the point that I need to get to subsequently, because it's

5 complicated. Now, let me just finish

6 the quantitation report. So, the weighing doesn't work on

7 the other options for quantitating the blood. One possible

8 approach would be quantitation of hemoglobin in the blood.

9 Hemoglobin is the protein in the red blood cells that

10 carries oxygen from the lungs to the body's tissues, and

11 returns carbon dioxide from the tissue to the lungs.

12 Hemoglobin is easy to measure in liquid, but with the

13 chemical method combined with -- this is routinely done in

14 the medical setting when -- hemoglobin checked. In the

15 forensic area, there are -- methods that detect conformity

16 of hemoglobin in blood stains, but they are only semi-

17 quantitative. So, the goal for these studies was really to

18 identify blood in multi-quantitative. The concern is, if

19 you would like to try this for quantitation, if the original

20 amount of hemoglobin were underestimated due to degradation,

21 the stain is 20 years old, or lack of some -- that's even a

22 greater concern, because the molecule could change and it

23 would be not -- from the substrate as efficiently as when it

24 is fresh. This would lead to an over-estimation of the EDTA

25 concentration. There would be --

1 . THE COURT: Are you saying that, in a test tube,  
2 the test tube sample is preserved, and so the ratio should  
3 continue on, but because this shirt is 20 years old, who  
4 knows what evaporation or other things it's done to it?

5 THE WITNESS: There are no studies.

THE COURT: There's no studies?

THE WITNESS: No. So, that's one possibility, and  
8 then, there are also antibodies against human hemoglobin  
9 that could potentially be used. But, the issue of  
10 degradation of the natural molecule you're looking for is  
11 still the same.

12 Can we use DNA to determine the amount of -blood  
13 present, because the approximate amount of DNA in fresh  
14 blood is known. It's a known value. But, we already know  
15 that DNA degrades when exposed to the environment, that the  
16 amount of DNA recovered cannot be translated in blood. So,  
17 this is not a good method to use.

18 THE COURT: And you cannot then use DNA with PCR  
19 amplification and then get the sarrie kind of EDTA. There are  
20 two different testings?

21 THE WITNESS: It's exactly something I will get to  
22 to address that point.

23 THE COURT: Okay.

24 THE WITNESS: Issue number two. The exposure to  
25 EDTA from other sources. Since we already know that EDTA is

distributed widely everywhere we go. So, EDTA is present in many products used in daily life, and some of these products contain EDTA in high concentrations, which are then shown in the next slide. And the history of the t-shirt with respect to its exposure to EDTA I just put a few, examples, soaps, lotions or foods -- is unknown. And here are some consumer items that contain EDTA and what I would like to draw your attention to is some of these were obtained at very high percentage of EDTA -- and so on, but more so the tile cleaners and toilet bowl cleaners. This is much higher than the concentration in the EDTA result blood tube. It's .13 percent and here we're talking about one .to ten and one to five percent.

As I said, the history of the shirt is not known. If it had been used as a rag, we would have -- we could account for a high concentration in certain areas.

THE COURT: But, couldn't you test adjacent samples, one with the blood, one without? And then, theoretically, those adjacent samples should probably have the same -- one would think maybe the experts could say that the EDTA would be consistent in the two areas. Theoretically, maybe one area of the shirt was splattered, but if they are adjacent, probably you would expect a uniform concentration.

THE WITNESS: I will get to that.

1 THE COURT: Okay.

2 THE WITNESS: So, again, to repeat, the history is  
3 not known, and there is a possibility that the t-shirt  
4 itself contains a certain level of EDTA in the background.  
5 Now, EDTA is highly water soluble. We know that. So, if  
you dilute the blood from the stain to test for EDTA, you  
would of course remove the background as well, and --

8 THE COURT: Tell me -- I didn't get that. Explain  
9 that to me.,,

10 THE WITNESS: Well, in the previous slides where  
11 we talked about how you test for EDTA, you make a water  
12 extract first, and then you rinse the stain in water so that  
13 all the EDTA gets solubilized in water. So, if there's  
14 already EDTA on the background, that EDTA will dilute with  
15 it. But, that leads to --

16 BY MS. WILKENS:

17 Q So, if I can clarify, Doctor Steinberger, if you are  
18 removing the blood, the method that you're using is also  
19 going to extract any EDTA that is in the garment itself  
20 under the smear of blood.

21 A If there was some.

22 MS. WILKENS: There's no way to pull from the  
23 smear of blood without also pulling from the t-shirt that's  
24 under the smear, which could contain EDTA itself.

25 THE COURT: Okay, and then maybe the

1 Petitioner -- but, can you - let's say you have one area on  
one side and one area on the other, and you pull it from  
3 both, and then can you say that the known concentration from  
the t-shirt is X, and you subtract that X from the area of  
the t-shirt, the base, plus the blood, to get the level of  
6 the blood, if there are any studies in that regard.

7 THE WITNESS: Right, that's exactly what it says  
here. It points to the significance of the subsequent  
9 reports. That's what it's called, the neighboring

10 THE COURT: Okay.

11 BY MS. WILKENS:

12 Q And Doctor Steinberger, would the EDTA in the garment  
13 be consistent throughout the garment? Would it be possible  
14 to --

15 A That is the big question and I won't get into that.

16 Q Okay.

17 A But, anyway, to your question, when a fabric is exposed  
18 to water, the salt with EDTA could conceivably be displaced  
19 on the substrate by the combined effects of -- action and  
20 chromatography. Such movement could effectively concentrate  
21 EDTA at locations that correspond to the dried out  
22 solvent -- and to give you an example, a perspiration stain  
23 would be the perfect example of something like this, where  
24 some component'S move until the liquid dries up and then  
25 get -- so, that's why the weighing method is not a good

1 method for. this particular situation, because there could be  
a concentration in this area on the background it's not  
mixed with, and it would make the results not reliable.

4 THE COURT: But, wouldn't that be lessened if you  
5 do it -- your control area is near where the smear area is,  
the GF, GI, GJ, those areas?

7 THE WITNESS: But, the control area -- the areas  
of the smear that you're referring to is -- so, there could  
9 be some higher concentration within that smear that would  
10 increase the EDTA concentration, but it doesn't come from  
11 the blood.

12 On a surface like this, where we assume  
13 homogeneity in the background, this approach is the right  
14 approach to take. But, on a non-homogenous situation like  
15 this one, it's questionable.

16 Now, I'm talking about the homogeneity within the  
17 sample itself. We're not talking about background on this  
18 slide. And I showed you that most of the blood smears on  
19 the t-shirt are known to contain mixtures. And the mixtures  
20 are not all the same. And the EDTA measured could be from a  
21 mixture, because we can only -- we cannot tell. We can only  
22 extract DNA from the blood, whether it's blood from one  
23 individual or more than one. And therefore, we have to  
24 determine STR types that are present in the sample that is  
25 EDTA tested to determine if a blood matching Mr. Cooper

1 would actually be in that sample. If I may --

2 THE COURT: Don't we already know that?

3 THE WITNESS: No, because we cannot sample exactly  
4 the same area.

5 THE COURT: Oh..

6 THE WITNESS: Oh, from that little -- it's  
7 again --

8 THE COURT: From that little area.

9 THE WITNESS: We haven't sampled that area. It's  
10 adjacent, yes, but we don't really know. And I don't know  
11 if I'm permitted to refer to this situation where that  
12 became exactly the issue, where a bloodstain was halved and  
13 then an EDTA result was obtained from one-half and the  
14 genetic type was obtained from the other half, and then the  
15 argument -- the question was, well, we really don't know if  
16 this type is already the type -- is also the type that's in  
17 the half that was EDTA tested.

18 So, you brought it up before that you should  
19 really test -- do these tests on the same area. You should  
20 do EDTA. You should quantitate the amount of blood, and you  
21 should do the DNA testing from the same area.

22 THE COURT: And isn't this destructive testing,  
23 then?

24 THE WITNESS: Well, after EDTA testing, you cannot  
25 do DNA testing anymore. So, there's currently no published

1 methods where all three critical tests could be performed on  
2 the same extract.

3 BY MS. WILKENS:.

4 Q So, Doctor Steinberger, what you're saying is, in order  
5 to make sure that the EDTA result is coming from the blood  
6 result of a particular individual in this instance, it  
7 would be Mr. Cooper -- you would need to do both the very  
8 specific DNA testing that was done in the post-conviction  
9 testing. You would also need to test for EDTA and you would  
10 also need to quantify the amount of blood, and it's not  
11 possible to do all three from a sample. Is that what you're  
12 saying?

13 A The method doesn't exist. That's all I can say. Now  
14 we go back to a non-homogenous background that they talked  
15 about before.

16 THE COURT: Oh, you mean, this is the mud issue?  
17 Was it the ditch? This is the ditch issue?

18 MS. WILKENS: Well, the t-shirt is stained and --

19 THE COURT: Wasn't it found in a ditch?

20 MR. ALEXANDER: Yes, it was, your Honor, near the  
21 Canyon Coral. Bar.

22 THE WITNESS: So, when Doctor Blake and Steve  
23 Meyers looked at the t-shirt during post-conviction testing,  
24 water stained areas with varying intensity of fluorescence  
25 were observed. And this strongly indicates a non-homogenous

1 background. And choosing the appropriate substrate controls  
2 will be highly problematic. That addresses the discussion  
3 that we had earlier, which substrate controls to choose.

And now, I come to the last slide, which addresses  
the validity. Known methods are unsuitable for the marginal  
6 situation that the same blood smear on a 20-year-old garment  
7 presents and EDTA testing results would be unreliable. So,  
8 that concludes my EDTA presentation. Would you like to --

9 MR. ALEXANDER: Yes, please, your Honor.

10 THE COURT: For this portion, why don't you go to  
11 the witness stand.

12 MR. ALEXANDER: If I may ask the Court's  
13 permission for Doctor Steinberger -- is it Doctor  
14 Steinberger? I'm sorry. To call up a slide so I can ask a  
15 question about it, but for most of what I want to ask, I  
16 think that's fine.

17 THE COURT: Okay. Don't turn it off.

18 THE WITNESS: No, I won't. But, now, I need to go  
19 back. That's going to take me a minute.

20 MR. ALEXANDER: While we're waiting, your Honor,  
21 to move things along, the article, the reference to the  
22 Cornell University people is the attachment to our  
23 submission, just to remind the Court, involving Jack Henyon  
24 and Mr. Shephard. So, you have their work that was done in  
25 1997 attached to our submission. And in addition, just to

put Doctor DeForest in further context, one of the matters  
he will address is a matter that Doctor  
Steinberger -- Doctor Steinberger it is? Yes. Doctor  
Steinberger raised, which is which are the suitable stains  
5 that should be subject to the preservative testing. Thank  
6 you.

THE COURT: Thank you.

THE WITNESS: Do you want --

MR. ALEXANDER: I think it's the Court's  
10 preference that you be on the witness stand until I have a  
11 couple of questions about some slides.

12 THE COURT: Is anybody okay with -- well, we'll go  
13 till noon and then take a break. Okay.

14 CROSS EXAMINATION

15 BY MR. ALEXANDER:

16 Q I just want to understand, Doctor Steinberger, a few  
17 things relating to the preparation of the presentation.  
18 It's my understanding that the laboratory for which you are  
19 employed does not do EDTA testing or preservative testing,  
20 is that correct?

21 A That's right.

22 Q And you, yourself, have not ever done any.

23 A No.

24 Q Okay, what I said is correct.

25 A I have not done EDTA testing.

1 Q Okay, and is it also true that the other gentleman  
2 listed, the three gentlemen listed there also have not done,  
3 to the best of your knowledge, preservative testing? -

4 A To my knowledge, they have not done EDTA testing.

5 Q And is it -- based on information you have, is it more  
6 likely than not that preservative testing is going to be  
done by a defendant because it will typically arise in an  
8 issue of tampering as opposed to the prosecution, if you  
9 know?

10 A At this point, yes.

11 Q Okay. But, are you aware that, in fact, in the O.J.  
12 Simpson case, it was the prosecution that first introduced  
13 the preservative testing, correct?

14 A That's true.

15 Q And that was done by a Doctor Marks (phonetic).

16 A From the FBI.

17 Q At the time, yes.

18 A That's right.

19 Q Do you know if Doctor Marks is still with the lab of  
20 the FBI or if he's in some other position?

21 A He's not with the FBI anymore. I don't know in which  
22 position he is now.

23 Q Okay. Now, you mentioned -- in mentioning the FBI,  
24 with Doctor Marks no longer there, do you know who it is at  
25 the FBI lab -- and is that the one in Quantico, Virginia?

1 Do you know?

2 A I am not sure.

3 Q All right, fair enough. But, when you said Washington,  
4 I 'believe that's the one you're referring to, that FBI lab  
in Washington?

A I did not mention Washington, I don't believe.

7 Q I apologize. Maybe it was Ms. Wilkens. In any event,  
8 so, you're not sure where the FBI lab is.

9 A They are all in Washington. One is downtown and one is  
10 in Quantico.

11 Q Okay, thanks. Now, do you know who does do the  
12 preservative testing at the FBI lab today, if anyone?

13 A At the moment, it's not done.

14 Q Okay, and I think you mentioned that, at Cornell  
15 University, that facility that Mr. Henyon and Mr. Shephard  
16 who wrote the piece you refer to, they're no longer there.

17 A That's true.

18 Q And the FBI doesn't do it anymore, correct?

19 A At the moment.

20 Q Okay, fair enough. At the moment.

21 A Yes.

22 Q And so, is it your understanding that the only  
23 laboratory that does do preservative testing at the current  
24 time is Doctor Ballard?

25 A Yes.

1 Q Okay. Thank you for that. Now, let me ask you this.  
In the course of this preparation, did you actually see the  
3 t-shirt itself?

4 A I did not

5 Q Did any of the other gentlemen who assisted you see it?

A Yes.

Q Which of these gentlemen saw the t-shirt?

8 A Mr. Steve Meyers who did the testing himself, and Mr.  
9 Gary Simms, who is the laboratory director who has also seen  
10 the t-shirt.

11 Q I see, and when did they see that t-shirt?

12 A During the post-conviction testing, which was the  
13 period from -- 1999? Is that correct?

14 A Okay, not recently.

15 THE COURT: 2001. Wasn't it 2001?

16 THE WITNESS: During post-conviction testing. I  
17 cannot specify the date.

18 BY MR. ALEXANDER:

19 Q I was confused. Not in connection with your tutorial  
20 preparation. They didn't see the t-shirt more recently  
21 within the last month or so.

22 A .I don't believe we looked at the original evidence, no.

23 Q When is the last time you recall that you people were  
24 given access to the t-shirt?

25 A As I said, during post-conviction testing.

Q I just wanted to make sure I was clear on that. Thank  
2 you. Now, I don't know if you'll need to go to a slide for  
3 this, but I believe that there was a slide up there that you  
described a separation method in dealing with the  
5 bloodstains.

6 A Chromatography.

7 Q Okay. Do you know whether in the DNA -- I'm  
8 sorry -- yes, in the DNA testing that was done in this case  
9 in 2001, whether there was soaking of the bloodstain that  
10 was tested and then subject to a centrifuge to separate out  
11 the DNA from the remaining portions of the stain?

12 A Well, there was certainly a soaking of the bloodstain  
13 involved, because that's how we remove the cells from the  
14 substrate.

15 Q And after the cells are subject to the soaking, is the  
16 compound or whatever comes from that then subject to a  
17 centrifuge so that you get a separation?

18 A Well, it's not accurate the way you put it, but there  
19 are some centrifugation steps involved.

20 Q Okay, and does that result in a separation of the DNA  
21 from a liquid that would contain the preservative in it?  
22 Let me ask it more simply. I shouldn't try and show any  
23 expertise in this area, because that would be an  
24 exaggeration. But, when you do the centrifuge, is there a  
25 separation that occurs?

1 A Well, again, separation is not the right word. It's  
2 not possible to separate the EDTA from the DNA with the  
method we are applying.

4 Q I'm sorry. When you say "the methods we're applying,"  
5 to what methods are you referring?

6 A The DNA methods, the DNA extraction method.

7 THE COURT: The extraction PCR amplification?

BY MR. ALEXANDER:

9 Q Is there a soaking liquid that, as a result of the  
10 extraction, that is separated out? I use the word  
11 "separated," you know, in quote.

12 A Yes.

13 Q Okay, and now, do you know whether or not that has been  
14 maintained by the Attorney General's office?

15 A Well, your question isn't addressing the relevant step,  
16 because this is a bloodstain and we apply a re-agent that  
17 bursts the cells so the DNA is solubilized in that liquid.  
18 So, that's part of the method. There is nothing to keep  
19 behind.

20 THE COURT: It all becomes soluble?

21 THE WITNESS: Yeah, the DNA is solubilized in the  
22 liquid.

23 MR. ALEXANDER: May I have a moment, your Honor?  
24 Maybe I can bring this --

25 (Pause.)

1 BY MR. ALEXANDER:

2 Q - Do you know whether or not there was an initial soak  
3 done of the stains back in 2001 when the DNA testing was  
4 done?

5 A I do not know.

Q Now, if you could approach the --

7 MR. ALEXANDER: I'm almost done, your Honor.

8 BY MR. ALEXANDER:

9 Q If you could approach your power point and-call up the  
10 picture of the t-shirt itself, I would appreciate that.

11 THE COURT: Could we also have her print out a  
12 copy of her power point slides and give a copy to the Court?

13 MS. WILKENS: Yes, we'll have that available after  
14 the noon recess.

15 THE COURT: All right, thank you. And to Defense  
16 counsel.

17 (Pause.)

18 THE COURT: You've highlighted the top portion.  
19 You need to unclick that, probably.

20 THE WITNESS: Do you mind if I leave it like this,  
21 because apparently, when I go to the presentation, it goes  
22 back to the first one.

23 THE COURT: But, I thought that was because you  
24 highlighted the first slide. Do we have any power point  
25 gurus here?

MR. ALEXANDER: I have to empathize with Doctor Steinberger.

3 THE COURT: Why don't we -- why don't we take  
4 our --

5 MR. ALEXANDER: I think I can work with the  
smaller one, your Honor.

7 THE COURT: With this one?

8 MR. ALEXANDER: I think so.

THE COURT: Okay.

10 MR. ALEXANDER: All right, I'm not going to be  
11 very long.

12 THE WITNESS: Sorry.

13 MR. ALEXANDER: That's all right. I know they say  
14 it's down. I use the word "broken." But, that's fine. I  
15 think I can --

16 THE COURT: Just stay with that one?

17 MR. ALEXANDER: I'm fine with that, your Honor.

18 THE COURT: All right, and this is the t-shirt,  
19 Exhibit 169.

20 BY MR. ALEXANDER:

21 Q Okay, it's fine. Oh, absolutely. I just want to make  
22 sure I -- as I understand it, if you look at the t-shirt  
23 here, with the exception of 6G, CC1 at the top, that doesn't  
24 have any smear according to the exhibit of Mr. Cooper's  
25 blood, correct?

Right.

2 • Okay, and then, we can't tell --

3 THE REPORTER: You need to be at a microphone.

BY MR. ALEXANDER:

5 Q S CC1 is simply blood from Doug Ryan, the dad, the  
6 father.

A Correct.

8 Q And then, we can't tell on the next one down, 6A, whose  
9 blood that is, correct?

10 A Could not be determined. There was not enough --

11 Q And apparently, there is same conclusion on 6B, D and  
12 E. We don't know whose blood that might be. It says  
13 "habitual wearer." Do you know what that means?

14 A Yes, it means it's these samplings here where it was  
15 attempted to determine the habitual wearer of the t-shirt by  
16 looking at cells in the perspiration.

17 Q But, no indication as to who that's identified with.

18 A No.

19 Q Okay.

20 A Correct.

21 Q All right, then, the next one, 6F, is a mixture. So,  
22 we have apparently Peggy Ryan and Mr. Codper and perhaps  
23 somebody else, or it's not certain?

24 A Well, he was not able to make a conclusion, but there  
25 are other peaks, as we call them.

Q Okay, now, I'm going to skip the smear and come back to that in a minute. The next one, 6H, there is -- we don't  
3 know who -- there's no DNA there and there is no ability to  
4 identify. I guess that's a water stain of some sort,  
5 fluorescent stain?

6 A It's fluorescent, but I am not -- I cannot tell you  
7 what the history is.

8 Q Okay. All right, so, we don't know, at least with the  
9 exhibit, who that might belong to, or come from, that stain,  
10 or where.

11 A There was no DNA recovered.

12 Q Then, the next one, we have Doug Ryan and Mr. Cooper  
13 again, correct?

14 A Correct.

15 Q All right, and then, we have Doug Ryan again and  
16 Mr. Cooper, correct?

17 A Correct.

18 Q And then, on the last one, 6K, we have another mixture,  
19 Doug Ryan and Mr. Cooper.

20 A Right.

21 Q So, is it your understanding that this t-shirt  
22 was -- this was the t-shirt that the State contends was worn  
23 by Mr. Cooper in the commission of the crimes and the blood  
24 got on there from the commission of the crimes. Is that  
25 your understanding?

1 A I am not --

2 MS. WILKENS: I'm going to object, your Honor.  
3 She is not privy to what --

4 THE COURT: Well, if she knows.

5 MR. ALEXANDER: If she knows.

6 THE COURT: If you know.

THE WITNESS: I do not know.

8 BY MR. ALEXANDER:

9 Q Okay, fair enough. But, at least, with the exception  
10 of 6G, in every instance on that t-shirt where blood was  
11 apparently spattered, and I'll ask you to assume it was done  
12 during the commission of a crime, Mr. Cooper's blood is  
13 right mixed in with Mr. Ryan's, correct?

14 A But, spatter is an incorrect description.

15 Q Forget about the word "spattered." Every time that  
16 they identified Mr. Cooper's blood, with the one exception  
17 of 6G, it's mixed in with Mr. Ryan's blood.

18 A That's correct.

19 Q Okay, now, let me just ask you a question about the  
20 smear, and that is, do you, Doctor Steinberger, or any of  
21 the people who assisted you in doing this, have the  
22 expertise to know whether or not that smear could be tested  
23 for a preservative? Is that something you would be able to  
24 do?

25 A They only looked at the intensity of the smear and

1 determined where the intensity is the highest. It's the  
best chance to get an EDTA result. That's all.

3 Q Okay, but -- I guess it might be appropriate to return,  
4 if you might return to the witness stand.

THE COURT: And when you say "that smear,"  
which

7 MR. ALEXANDER: I'm sorry, your Honor. 6G, where  
it says "smear," which appears to be on there --

THE COURT: Cooper.

10 MR. ALEXANDER: Just Cooper.

11 BY MR. ALEXANDER:

12 Q And I guess my question was a little bit different,  
13 Doctor Steinberger, and that is, do you have the expertise  
14 to determine whether or not that smear could be tested for a  
15 preservative?

16 A Myself?

17 Q Yes.

18 A I am certainly qualified to determine which of these  
19 smears seems to be the most intense.

20 Q I understand that. But, my specific question is, with  
21 regard to that smear, whether the most intense or the least  
22 intense, whether or not you have the expertise to determine  
23 whether the intensity it has, whatever that may be, could be  
24 tested for the presence of a preservative?

25 A I would not consider myself an expert.

1 Q Okay, and just finally, do you know whether any of the  
2 other gentlemen who assisted you have that expertise?

A No, they don't.

MR. ALEXANDER: I believe that's all I have.  
Thank you very much, Doctor Steinberger.

6 MS. WILKENS: Your Honor, could I just clarify  
something on the t-shirt?

8 THE COURT: Yes.

REDIRECT EXAMINATION

10 BY MS. WILKENS:

11 Q Doctor, drawing your attention to the t-shirt, first of  
12 all, with respect to stains 6B, 6C, 6D, 6E, to clarify,  
13 those are not bloodstains, is that correct, those are the  
14 habitual wearer portions?

15 A These are the ones -- I can't see from here. These are  
16 the ones from --

17 Q They're the ones around the collar, and it says  
18 "habitual wearer."

19 A These are not bloodstains, no.

20 Q I wanted to clarify that those are not bloodstains.  
21 And then, additionally, counsel asked you if every time,  
22 with the exception of the one stain where it was solely  
23 Mr. Cooper's blood, he asked you if every other time where  
24 Mr. Cooper's blood appeared with someone else, was it Doug  
25 Ryan. And I wanted to clarify that stain 6F mentions Cooper

1 and Peggy Ryan. So, it would be incorrect to say that all  
2 of the combinations are with Doug Ryan, is that correct?

A That's true. I missed that.

4 MS. WILKENS: Thank you.

5 THE COURT: Why don't we take a short recess.  
What's your scheduling?

MR. HILE: Your Honor, may I ask, Doctor Melton  
8 has a flight that leaves at 1:15. I'm wondering if she  
9 could be excused.

10 THE COURT: Yes, you may. Thank you for coming.

11 MR. HILE: Thank you.

12 MR. ALEXANDER: What we would propose to do next,  
13 I believe, subject -- and Mr. Hile is more familiar with  
14 Doctor DeForest's situation, but I think, since we're on to  
15 this area, I would propose to have Doctor Ballard and --

16 THE COURT: And you're all right on your flight  
17 schedules as well?

18 MR. ALEXANDER: Oh, yeah, we're up in the Bay  
19 area.

20 THE COURT: Yeah, okay, so then, why don't we take  
21 a recess. Let me ask my staff. How about till 1:15, is  
22 that okay? Oh, it's 11:14, okay, all right. We can keep  
23 going.

24 MR. ALEXANDER: Oh, we can, very well.

2.5 THE COURT: Does anybody need a short break?

MR. ALEXANDER: If the Court would indulge, I could use a short break.

3 THE COURT: All right, we'll take a -- why don't  
4 we take a fiveLminute recess break.

5 (Proceedings recessed briefly.)

6 THE COURT: You may proceed.

7 MR. ALEXANDER: Your Honor, at this time, we would  
8 propose to have Doctor Ballard give his presentation on the  
9 tutorial with regard to preservative testing. We don't  
10 need, for whatever purpose, that information, and to assist  
11 both the Court and counsel -- and these got pulled out -- I  
12 would request the Court's permission to hand out both Doctor  
13 Ballard's CV and the copies of the power points he will use,  
14 because as I understand it, he's going to refer to excerpts  
15 of those, not necessarily the whole power point.

16 THE COURT: You may.

17 MR. ALEXANDER: Thank you, your Honor. These  
18 actually are now punched.to go in the notebook.

19 THE COURT: And we'll mark this as Petitioner's 2  
20 and it's received for purposes of the tutorial.

21 MR. ALEXANDER: Thank you, your Honor.

22 THE COURT: Oh, I see, so this is the CV and then  
23 that's -- all right, this will be 2 and then the power point  
24 will be Petitioner's 3.

25 MR. ALEXANDER: Thank you, your Honor. Just

1 finally, before Doctor Ballard takes over, I really just  
intend to allow Doctor Ballard, in the interest of time and  
the like,' to proceed right into his presentation, and I  
4 don't intend to do it question and answer, as was done  
5 somewhat with Doctor Melton, unless there's some point or so  
that I just want to bring up.

7 THE COURT: All right.

8 MR. ALEXANDER: Thank you very much, your Honor.  
9 Doctor Ballard.

10 KEVIN BALLARD - PETITIONER'S WITNESS - SWORN

11 THE CLERK: Please state your name and spell your  
12 last name for the record.

13 THE WITNESS: My name is Kevin Ballard. That's  
14 K-E-V-I-N, B-A-L-L-A-R-D.

15 DIRECT EXAMINATION

16 BY MR. ALEXANDER:

17 Q Doctor Ballard, I think your CV is self-explanatory.  
18 Just let me ask you, if I might, it also has some words on  
19 it that I cannot pronounce, let alone understand. So, I  
20 won't take you through all of that. But, if I could ask you  
21 to briefly describe for the Court what your occupation is.

22 A I'm the director of analytical toxicology with a  
23 company named National Medical Services in Willow Grove in  
24 Pennsylvania. I'm also the director of research and  
25 development with National Medical Services.

1 THE COURT: And can you -- this is not in the CV,  
2 so can you tell me a little bit more about what he does?

3 MR. ALEXANDER: Yes, I was going to follow-up.  
4 That's one reason I asked this.

BY MR. ALEXANDER:

6 Q As her Honor just indicated; could you describe or  
7 explain to the Court with regard to your own particular  
practice in that lab -- first of all, how many employees at  
the lab, approximately?

10 A It's a medium sized company. It's approximately 200  
11 employees.

12 Q Two hundred employees. Okay, now, for the Court's  
13 benefit --

14 THE COURT: From '93 forward, I believe.

15 MR. ALEXANDER: Yes.

16 BY MR. ALEXANDER:

17 Q Is that correct?

18 THE COURT: What have you done since 1993?

19 BY MR. ALEXANDER:

20 Q Ninety-three. When did you start at the laboratory?

21 A I started in 1998.

22 Q 1998.

23 THE COURT: And what did you do from 1993 to 1998?

24 THE WITNESS: I worked as a research assistant  
25 professor at Baylor College of Medicine in Houston, Texas.

1 THE COURT: Oh, I see. It says that here. Thank  
you.

3 MR. ALEXANDER: Yes, I was going to move from 1998.  
4 to the present, your Honor.

5 THE COURT: Okay, I got confused. On page 2, it  
6 said '98, and then I just flipped to the last one and it was  
7 through '93. So, I missed your '98 issue. So, you've been  
8 with the same company since '98.

9 THE WITNESS: Since 1998..

10 THE COURT: All right, thank you.

11 BY MR. ALEXANDER:

12 Q And if you could describe with greater particularity  
13 with regard to your own responsibilities, the areas in which  
14 you practice and concentrate on.

15 A I focus, as director of analytical toxicology, our  
16 laboratory does a lot of toxilological testing, both in  
17 forensic settings and in clinical settings. My focus is o  
18 the analytical side of the toxicology; in other words, if a  
19 question comes up, .can we measure such and such compound in  
20 this particular type of tissue, I'll be asked that question.  
21 Whereas, what doe\$ cyanide do to a human being, our  
22 classical toxicologist will be asked that question. **My**  
23 focus is on the analytical side of toxicological and  
24 clinical drug issues.

25 Q What do you mean by "the analytical side?" Could you

elaborate?

2 A Yes. Developing methods to permit us to analyze  
-compounds, answering the two fundamental questions, is the  
4 compound there, and if so, how much. That's the analytical  
side of a toxicological issue. The more  
6 pharmacologic/toxicologic question would be, well, what does  
7 that do to the heart, or what does that do to the liver.  
8 That's not my focus. My focus is on the analysis itself.

9 Q So, for example, what the compound consists of is  
10 analytical toxicology.

11 A Yes. How much morphine is in the blood, that's  
12 analytical toxicology. What would the effect of this amount  
13 of morphine be on a human being, that's classical  
14 toxicology.

15 Q Okay, thank you. Now, in connection with your work,  
16 would you describe for the Court your experience in  
17 analytical toxicology with regard to preservative testing?

18 A I first became involved with testing for anti-  
19 coagulants, also known as preservatives, during the O.J.  
20 Simpson case.. I was asked by the, Defense in the Simpson  
21 case whether it would be possible to determine, to detect  
22 EDTA in the forensic blood stain. And I did some research,  
23 and to the best that I could tell, that had never been done  
24 before. So, I began developing methods to permit doing  
25 that. Along the way, questions relating to other types of

anticoagulants have come up.

2           I brought along an exhibit that basically is just a  
3 bunch of blood collection tubes. Both for forensic and for  
4 clinical purposes, blood is collected into-different kinds  
5 of blood collection vials, depending upon the purpose. In a  
6 hospital setting, for instance, if a complete blood count  
7 needs to be done, everybody knows that the purple top is the  
8 correct type of tube to use for that test. Similarly, if  
9 glucose level is being done, there's a special tube for  
10 glucose. For many drug tests, a gray topped tube is the  
11 correct one to use. There are a myriad of these things.  
12 And they all contain different things. The red topped tube  
13 actually is the simplest. It contains absolutely nothing.  
14 It's just a tube, an evacuative tube. The purple top tube  
15 is anti-coagulated with EDTA. The gray top is anti-  
16 coagulated with dofoxalic (phonetic) acid and flouride. I  
17 don't have one here, but a yellow top is anti-coagulated  
18 with citric acid. This green top is anti-coagulated with  
19 heparin, and this blue top is another variation on the  
20 purple top. It has EDTA in it. Out of all these, the only  
21 things I can test for are the purple top, the gray top and  
22 the yellow top. I can't test for heparin. The red top,  
23 there's nothing in it to test for.

24 Q       Now, just finally, by way of some background  
25 information, Doctor Ballard, in the course of your work

1 since 1998 and even before, have you done work for both  
enforcement agencies as well as the defense?

3 A Not on the EDTA issue, but on toxicological issues,  
4 yes. The fact of the matter is the vast majority of my work  
5 is on behalf of law enforcement.

6 Q Does that also include the FBI?

A I haVe worked in conjunction with the FBI. I have done  
8 some testing specifically for the FBI.

9 Q And I take it -- or let me ask you. Have you testified  
10 as an expert in cases where you were retained by the FBI?

11 A I was not retained by the FBI in the cases that I think  
12 you're referring to.

13 Q The Veteran's Administration.

14 A Yes. The Veteran's Administration, and also the State  
15 of Florida. There were two or three cases, actually, where  
16 both the FBI laboratory and our laboratory did testing  
17 basically to verify each other's findings.

18 Q And is it also true today that the vast majority of  
19 your toxicological work is for enforcement agencies?

20 A The vast majority of it is, yes.

21 MR. ALEXANDER: Very well. With that, your Honor,  
22 I would allow Doctor Ballard to take us through his tutorial  
23 with regard to preservative testing. Thank you, Doctor.

24 THE WITNESS: Fortunately, Doctor Steinberger did  
25 more than half of my work for me. So, I may be able to

1 shorten this somewhat as compared to what I had originally  
2 intended. I want to start with EDTA and its structures. Is  
that laser pointer available?

4           This is the structure of EDTA, which is ethylene  
5 diamine tetracarboxylic acid (phonetic).. The long name comes  
6 from the structure. This what I'm trying to circle here is  
7 ethylene diamine. Then, it has four acidic acid -- attached  
8 to the nitrogens of the ethylene.

9           This is, as far as I know, a purely synthetic  
10 compound. It was synthesized back in the 30's. It is a  
11 tetracarboxylic acid. COOH here is the carboxylic acid  
12 part. Acids donate hydrogen, or also called protons, to the  
13 solvent that they're in. By its very nature, just as a  
14 compound dissolved in water, depending on its PH, it can  
15 exist in five different forms. This is what's known as the  
16 fully protonated form. If we form a monosodium salt,  
17 replace one of these hydrogens with a sodium atom, that  
18 would be a triprotonated form. And then you take off  
19 another one, that would be a diprotonated form, all the way  
20 down to the form that has lost four protons.

21           What I'm trying to show, your Honor, is the  
22 complexity of-the chemistry of EDTA, because it's not like  
23 other molecules. It exists in many different forms. Here,  
24 these diagrams, by the way, are from an analytical chemistry  
25 text that I had in college, Coogan West (phonetic). This

1 shows the equilibrium that exists between these five forms  
of EDTA even in simple aqueous solution. As a function of  
PH, each one appears and disappears as you alter the PH,  
4 such that, at a PH of 12, it's almost all the tetra anionic  
5 form. Whereas, the low PH, it's almost all the fully  
6 protonated form. And these are all in equilibrium with  
7 each other. But, the picture is much more complicated.

8           EDTA's role in this world derives from the fact  
9 that it forms complexes known as kelates (phonetic) with  
10 metals. This is a list of various cationic metals that form  
11 complexes with EDTA. One of those is calcium. It forms a  
12 kelate of intermediate stability. It has a formation  
13 constant in the realm of a -- range. The reason EDTA is  
14 used as an anticoagulant in blood is because it ties up the  
15 calcium that's present in blood. Calcium is required as a  
16 co-factor for the formation of a clot. By binding up all  
17 the calcium -- and this is a cartoon diagram of what that  
18 kelate might look like with the calcium right here in the  
19 middle of this cage. By tying up all the calcium, the blood  
20 doesn't clot.

21           If you think in terms of a forensic blood stain,  
22 just in EDTA preserved blood, if the tube is filled properly  
23 to the intended level, approximately half of the EDTA in  
24 that blood exists as the calcium kelate. The rest of it  
25 will exist, some as magnesium kelates. There's a little bit

of magnesium in blood, a little bit of copper, various other trace metals, and the free forms, the five free forms that we've already looked at. So, if you're doing an EDTA analysis, you have to consider all these different forms, the possibility that perhaps it's on a rusty knife that would be full of iron, which forms an extremely stable complex. How can you test for it and reliably find it if it exists in all these different forms?

Well, the answer is to drive all these different forms to one final common product. That's the approach that I took to it. That's also the approach that Jack Henyon and his co-workers took.. A slightly different approach. What they did, what Jack Henyon did was used nickel, which also forms an extremely stable complex, and using heat and time, drive all the forms of EDTA to a nickel complex, and then analyze for the nickel complex using actually electrospray in tandem with massspectrometry. The approach that I used is a derivitization (phonetic) approach where all these different forms and the previous five are all driven to a tetramethylester (phonetic) derivative. I used a very powerful agent for that, and all those kelates, including the extremely stable iron kelate, are driven to the final common product, and that's analyzed by tandem massspectrometry.

For the sake of completeness, I also wanted to

1 show the structures of citric acid. This is out of the  
Merck index. It's also a polycarboxylic acid, but it also  
3 has an alcohol group. You use a slightly different approach  
to analyzing for citric acid, a different derivitization  
5 strategy, and also, oxalic acid; which is the other analite  
for which I test in terms of anticoagulants. Oxalic --

7 THE COURT: But, the citric acid is really a non-  
8 issue.

9 MR. ALEXANDER: No, your Honor. We  
10 understand -- in fact, I think we were able to document  
11 that, at present, the two preservatives that we're aware of  
12 from lab reports are EDTA and citric acid. I don't know  
13 whether any others were used. That's something we need to  
14 find out so that we don't go looking for one and in fact it  
15 was another. But, we do have indications now that there was  
16 a yellow top test tube. And in fact, in our -- well, those  
17 are the two we're now aware of, and certainly, the State  
18 will know whether there are those or any others. One could  
19 test, presumably, for EDTA, and it's not there, for example,  
20 because they didn't use EDTA, in fact they used it was a  
21 tube with citric acid as the preservative.

22 THE COURT: And how do you know that? I mean, you  
23 represented to the Appellate Court that it was EDTA.

24 MR. ALEXANDER: No, we actually, in our petition,  
25 requested -- and I have a copy of our prayer for

1 relief -- testing of any of preservatives that could have  
2 been used, and yes, it is correct, EDTA became the focus,  
3 and as I think I mentioned before, sort of the shorthand.  
We are aware for sure that there is EDTA and I believe  
that's also true of citric acid. I don't know.

THE COURT: But, there's nothing in the  
7 literature -- or correct me if I'm wrong -- that would say  
8 that citric acid has such a recognizable level, so that if  
9 we find it, that we would be able to say it's always in this  
10 level and so, therefore, it should be in this concentration.  
11 Perhaps you could get to that when we get there.

12 MR. ALEXANDER: Yes, that's what I'm going to ask  
13 Doctor Ballard to address.

14 THE COURT: Go ahead.

15 MR. ALEXANDER: Thank you.

16 THE WITNESS: Would you like me to go ahead and  
17 address that?

18 THE COURT: Well, you can address it at an  
19 appropriate time.

20 THE WITNESS: Just for the sake of completeness,  
21 I've also included oxalic acid, which is in the gray topped  
22 tube. The testing that I do, I test for EDTA currently  
23 using liquid chromatography tandem massspectrometry. The  
24 original test that I developed involved gas chromatography,  
25 tandem massspectrometry. The original test that I developed

involved gas chromatography tandem massspectrometry.

2 Therefore, technical reasons, not the least of which is that  
3 we now have a much more powerful instrument, I moved the  
EDTA testing to LCMSMS.

What I've diagramed here is a generic LCMSMS  
6 system, which consists of one or more HPLC pumps. This is  
liquid chromatography. An injector, a column. This is  
8 where separation occurs. An ion source. This is the  
9 electrospray ion source that allows liquid systems to be  
10 interfaced into a massspectrometer. And then, the tandem  
11 massspectrometry at the end, which consists of an initial  
12 massspectrometer, MS1,. a collision cell, and a final  
13 massspectrometer, which is MS2. Derivatized EDTA has a  
14 molecular mass of 349. So, for an EDTA analysis, what we  
15 would do is set MS1 to transmit only 349 and throw  
16 everything else away. This is a first stage molecular  
17 specificity.

18 We then introduce 349 ions into the collision cell  
19 at a predefined collision energy, and basically force the  
20 ions to break, to break into pieces. And then, we use MS2  
21 to scan those pieces. Now, what you get, depending upon how  
22 you do the analysis, you can either get a full spectrum or  
23 you can do selected reaction monitoring.

24 In the instrument that we're using, you get full  
25 spectrum.

THE COURT: Is it true that since this is with water, with some sort of solvent, that once you do this test, then you can no longer do DNA testing on the sample?

THE WITNESS: Actually, that's not true, your Honor. I do the testing in such a way that the DNA is preserved. As Doctor Steinberger pointed out, that was, in fact, an issue that came up-in New Jersey v. Pompei. The way the testing shook out, there, strictly speaking, was not overlapping EDTA and DNA information.

I basically do the reverse of what many DNA laboratories do. Many DNA laboratories perform what is known as an initial soak, where they soak the evidence in water or perhaps phosphate buffered saline, let it soak for a while, spin it down on a centrifuge and remove the supernate (phonetic), and they typically keep that. The DNA is at the bottom of the tube or still stuck to the cloth or whatever they're testing. That's exactly what I do.

The point about initial soaks at the DOJ during the testing of the Cooper specimens, if they did an initial soak, it's perfect for EDTA testing and we already know the DNA on it, if they did it.

THE COURT: And so, what would be the specific inquiry?

THE WITNESS: The specific inquiry would be -- these cuttings that came up mostly as mixtures,

1 including Kevin Cooper, one apparently is with Cooper  
possibly as a single source. Were those specimens loaded  
3 with EDTA? We can find out by testing the initial soak.  
4 You already know where Cooper is and where Cooper isn't.  
You also would presumably have soaks from the control  
6 cuttings. That would be the idea. In addition to fresh  
7 cuttings from the t-shirt.

8           The instrument that I'm using for this is called a  
9 quadropole (phonetic) time of flight instrument, also known  
10 as a QTOF. Here, we get full masspectral information. I'm  
11 going to have to show this to you in bits and pieces here.  
12 The upper spectrum -- the upper spectrum is EDTA itself.  
13 The lower spectrum is the internal standard that I use for  
14 this, which is isotopically labeled EDTA, where four of the  
15 carbons in the EDTA have been replaced with carbon 13 rather  
16 than the naturally present carbon 12, so that this molecule,  
17 which is in fact EDTA, can be differentiated from ordinary  
18 EDTA by the maaspectrometer. In looking---

19           THE COURT: What does that do for you?

20           THE WITNESS: It does many things. It's an ideal  
21 qualitative control for an assay to have an isotopically  
22 labeled internal standard. If you don't have one and you  
23 run an assay and you see absolutely nothing, you're kind of  
24 left wondering, well, is that nothing because there really  
25 is nothing, or is that nothing because my analysis didn't

1 work? With an internal standard, you know immediately  
whether or not your analysis works. And if you see nothing,  
then you know it's a true negative.

The other useful thing about an isotopically  
5 labeled internal standard is that it forms an excellent  
6 basis for quantitative determinations, You can run standard  
7 curves against it. You can even do the assay without a  
8 standard curve at all. If you know how much of the internal  
9 standard you put in there, the ratio of the intensities  
10 between the analite and the internal standard gives you an  
11 immediate measure of how much is in there. And this is  
12 actually a pretty good example. This is actually from a  
13 one-to-one mixture of unlabeled and labeled EDTA.

14 THE COURT: And what do you use as your label?

15 THE WITNESS: The label is carbon 13. It's  
16 analogous to like radio immunoassay where they use carbon 14  
17 as -- or sometimes tredium (phonetic). It's very similar,  
18 except instead of being radioactive, carbon 13 is stable.  
19 So, you don't have to worry about radiation, and it hangs  
20 around and the massspectrometer can tell the difference.  
21 But, this number up here is the intensity of the base peak  
22 in the spectrum. This is 1.15 times ten to the fourth, and  
23 this 1.08 times ten to the fourth. These are theoretically  
24 a one-to-one mixture. Well, it is a one-to-one mixture  
25 because I made it that way. There is a slight isotope

effect, but it's pretty much one-to-one. Actually, 1.1 to  
2 one.

3 THE COURT: And did you say what reagent you use  
4 or not?

5 THE WITNESS: I'm sorry?

6 THE COURT: Did you say what reagent you use?

7 THE WITNESS: For the derivatization?

8 THE COURT: Uh-huh.

9 THE WITNESS: Thremolar (phonetic), hydrochloric  
10 acid and methanol.

11 THE COURT: And is that standard?

12 THE WITNESS: Oh, very standard, yes. It's an  
13 excellent way to derivatize carboxylic acids. I used it  
14 throughout my dissertation work and one of the reasons I  
15 like it is because it has never failed me. It works every  
16 time.

17 This is another way to represent the data. This  
18 is in the form of a chromatogram. What I've done here is  
19 just plotted one ion for the internal standard and one ion  
20 for the analite as a function of time during the  
21 chromatographic process. The EDTA, the derivatized EDTA,  
22 will come out at a characteristic time in the system. So,  
23 what you see is a chromatographic peak. And these actually  
24 are high resolution data. The specter that I just showed  
25 you incorporate, because they're high resolution, they

incorporate exact mass information, which can tell you the  
2 elemental composition, which is another piece of the  
3 molecular specificity of the assay. You can tell how many  
4 carbons, hydrogens, oxygens, nitrogens are present in each  
individual piece, and everything has to be exactly right or  
the assay is simply negative.

Now, what I've also included in this notebook are  
8 copies of some presentations that I gave, have given over  
9 the years at various massspectrometry meetings, the American  
10 Society for Massspectrometry. This one -- I believe I  
11 presented this in 1996, the determination of EDTA in  
12 forensic samples by capillary GCMS and GCMSMS. As I told  
13 you earlier, I originally developed the testing using gas  
14 chromatography as a separation means. I've since moved it  
15 to liquid chromatography. The basic principles, the basic  
16 science, they're all essentially the same, and the  
17 derivative is exactly the same, still using the  
18 tetramethylester derivative.

19 One of the things we showed during that  
20 presentation was a combination of what, in essence, was  
21 blind proficiency testing and simulated evidence tampering.  
22 What we did here was one of my co-authors, Mark Taylor,  
23 stuck himself with a pin and went outside and bled on the  
24 sidewalk up in Ventura. Then, he let-the sidewalk, stains  
25 dry, went out and swabbed them up. And he treated them in

1 one of three ways. He either allowed those swabs to dry in  
2 a hood, or he allowed them to incubate at room temperature,  
I believe, for 24 hours, or he allowed them to be incubated  
4 in a hood -- yeah, incubated at 37 degrees under moist  
5 conditions. What that does is it allows bacteria to grow  
6 and degrade the DNA. He then either did or did not add  
7 purple top blood to the swabs. He either added nothing or  
8 one microliter or two microliters of blood from either  
9 individual M or individual G, and I have no idea who those  
10 people were. He then sent the specimens to me. And what  
11 I've expressed here -- and what I measure is mass of EDTA.  
12 But, what I'm showing here is the equivalent in terms of  
13 microliters of EDTA -- in terms of microliters of purple top  
14 blood, of EDTA that was actually found by both GCMS and GC  
15 tandem MS. We were looking at both approaches. And you can  
16 see there's a very good agreement all across the board. If  
17 he didn't add anything, we didn't find anything. If he  
18 added one microliter, we found about a microliter in terms  
19 of EDTA.

20 THE COURT: If we wanted to replicate that and  
21 give you samples and you didn't know where they came from,  
22 it should come out the same.

23 THE WITNESS: Yes, this was done in a blind  
24 manner. I did not know what the specimens were. Now, I've  
25 done similar testing in conjunction with Peter DeForest.

1 I'll show you that as well. Now, one thing -- as we  
2 discussed a few minutes ago, we can do DNA testing and EDTA  
testing on the same specimen.

THE COURT: Have you done that before? You  
say --

6 THE WITNESS: I've done it several times.

7 THE COURT: You have done it.

THE WITNESS: Yes, I've done it several times.  
9 And this was from 1996. This was the first time we did it.  
10 Now, let me just show you this.

11 THE COURT: What kind of DNA testing are you  
12 doing?

13 THE WITNESS: This was PCR. I have not done it in  
14 conjunction with STR actually, I may have. I think I did  
15 one case.

16 THE COURT: So, all you're just doing is PCR  
17 replication?

18 THE WITNESS: That's what this testing was. This  
19 testing was PCR. This was in 1996, and STR really wasn't  
20 around then.

21 THE COURT: Do you hold yourself out as a DNA  
22 expert?

23 THE WITNESS: No. I do know a fair bit about the  
24 subject. My ex-wife is a DNA expert. But, I personally am  
25 not. These are examples of where we did do overlapping EDTA

1 and DNA testing using the polymarker system.

2 THE COURT: What's the polymarker system?

3 THE WITNESS: The original PCR system was DQ alpha  
4 and polymarker, and this was the polymarker variant of that.  
5 It's the predecessor to SDRs. Both use the PCR technique.  
6 What we have is the sidewalk stain by itself dragging a hood  
7 and genetic typing on that. What we have on the bottom is  
8 ten microliters of individual G's purple top blood, and we  
9 have typing on that, and you can see there's some clear  
10 differences. G has a type C at the GC locus (phonetic)  
11 whereas the sidewalk stain, which is marked Taylor, has an A  
12 at that locus. And there are some other differences as  
13 well. In GYPA, it's A versus B. When he mixed the sidewalk  
14 stain and ten microliters of G's purple top blood, dried it  
15 properly in a hood and did the DNA testing, and this is  
16 testing on the material that I had extracted for EDTA. You  
17 get the obvious mixture. Here, you get an AC, here you get  
18 an AB.

19 When he first incubated the sidewalk stain under  
20 moist conditions, allowing the native DNA originally in the  
21 sidewalk stain to degrade, and then added G's purple top  
22 blood to it, he, quite expectedly, gets G's type. So, the  
23 original stain had this type. The simulated tamper stain  
24 has this type. And there's no evidence of a mixture. I  
25 thought that was fascinating.

But, this is one of the specimens where we did  
2 both DNA and EDTA EDTA and DNA testing. This is specimen  
BB.

THE COURT: Part of the scientific method is  
5 replication and sufficient numbers of issues. So, you have  
6 one test, but you need to have it replicated in order to  
7 have some scientific validity. Do you have that?

8 THE WITNESS: In terms of the DNA testing, no.  
9 All I can tell you is --

10 THE COURT: What we're dealing with realistically  
11 here is a very small sample. That's all that's pretty much  
12 available to us.

13 THE WITNESS: But, I would again emphasize the  
14 point that if these initial soaks exist, the DNA testing's  
15 already been done. This is -- here's BB, the same specimen,  
16 sidewalk stain, with ten microliters of G's blood on it, and  
17 we're able to find that. The thing that I personally find  
18 frightening is that, if somebody did that using a buckle  
19 (phonetic) swab, there's nothing to find. I also wanted to  
20 show you this. This is the extended abstract that was  
21 published as part of the proceedings of the meeting from the  
22 poster that I just showed you. The poster that's in that  
23 book isn't complete in that I couldn't find the figures.  
24 All I had was the text part. But, this does include a  
25 couple of the figures and I just wanted to show the nice

cleanliness of the traces. This is due to the use of tandem  
2 MS and the linearity of the standard curves. The dotted  
lines above and below each line represent 95 percent  
4 confidence limits for those standard curves.

5 THE COURT: I would assume that there's lots of  
6 analytical toxicologists in the nation. Who would be your  
7 equivalent contemporary that you would respect?

8 THE WITNESS: Well, I respect Mark LaBeau with the  
9 FBI. He's an analytical toxicologist. Yes, there are.  
10 fact, there's the Journal of Analytical Toxicology.

11 THE COURT: There's a journal?

12 THE WITNESS: Yeah, there are plenty of analytical  
13 toxicologists.

14 THE COURT: Are there any that do EDTA testing?

15 THE WITNESS: No. No, but it's not a question of  
16 whether they're capable of doing the testing. Plenty of  
17 people, plenty of laboratories in this country could do EDTA  
18 testing. It's more a question of willingness. This is from  
19 a talk I gave at another ASMS meeting, and this concerns a  
20 slightly different approach to the extraction derivitization  
21 strategy, and looking at quantity of EDTA found vis-a-vis  
22 the amount of dried blood stain analyzed. I did this work  
23 largely in conjunction with Doctor Peter DeForest.

24 What Doctor DeForest did was he sent me specimens  
25 of dried blood on three different kinds of cloth. The blood

1 either was or was not purple top blood. It was up to me to  
find out, and it was again done in a blind fashion. And the  
three different types of cloth basically had two  
4 fundamentally different characteristics. Two of them, the  
nature of the cloth was such that you could easily scrape  
6 blood plates off of the cloth and just weigh it.

THE COURT: We don't have that -- we don't have  
8 that here.

9 THE WITNESS: We don't have that here, no, we  
10 don't. The other type --

11 THE COURT: Do you agree that the weighting method  
12 just wouldn't work here?

13 THE WITNESS: I absolutely agree with that. The  
14 weighing method's not going to work. But, what will work  
15 and basically what I understood you to be getting at is  
16 comparing similar surface areas of t-shirt where a stain is  
17 about that big and the unstained area is about that big, and  
18 if you find similar levels of EDTA, that's a meaningless  
19 finding, and if it's gigantic in the stain and not so  
20 gigantic in the other one, I think that's meaningful.

21 THE COURT: What about the issue of the blood is  
22 wet and may have dripped or, through capillary action,  
23 distorted the surrounding areas?

24 THE WITNESS: I think that's theoretically  
25 possible if -- you expect, if such a thing had actually

1 happened, first of all, there'd probably be some visual  
evidence of it. What you wouldn't expect is the average  
3 area density of EDTA on the cloth to change; in other words,  
on average, it's going to be the same. If you happen to  
5 have a spot or a solvent front edge that goes through the  
middle of a cutting, it still represents the portion of the  
7 cloth that had been washed, if you understand what I'm  
saying. That EDTA is still going to be the same amount per  
9 square centimeter or per square inch. Whether it's been  
10 concentrated through solvent front action or not.

11 THE COURT: All right. Why don't we take a break  
12 at this time, and then is 1:00 okay? We'll come back at  
13 1:00.

14 (Proceedings recessed for lunch.)

15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25



THE COURT: Where's the foundation for that,  
2 though?

THE WITNESS: This is standard chemistry  
4 principles, your Honor. Let me give you an analogy.

THE COURT: I mean, dilution -- obviously,  
5 dilution. But, let's say I dilute it with -- how do I know  
6 you can't take any possible value of dilution and then just  
7 say, well, this is what's going to happen?

THE WITNESS: This is easily predictable. If we  
9 start with a milliliter of purple top blood, it has this  
10 concentration, 1,300 nanograms per microliter. If we add a  
11 milliliter of unpreserved blood to that to concentrate the  
12 volume double, you still have 1,300 nanograms, but now we  
13 have twice as many microliters. So, the volume doubles.  
14 The concentration is cut in half.

THE COURT: Now, you no longer have a constant  
16 that you're looking for. So, you're.

THE WITNESS: It's not a constant, and that's the  
18 point. In mixtures, the concentration of EDTA per mass of  
19 stain is necessarily going to be reduce as compared to pure  
20 dried purple top blood. Just as an analogy, if you take  
21 Coca-Cola and a glass of water and you mix them together,  
22 you've now got yucky brown looking Coca-Cola. If you take a  
23 tenth of a glass of Coca-Cola and mix it with a whole glass  
24 of water, you've got very faintly stained Coke stained  
25

water. The more you dilute it, the lower the concentration  
2 is going to be.

THE COURT: Right, but at the front end, you're  
4 saying that we can tell definitively whether this blood was  
5 tainted or not. And now, what you're saying is, no, we  
can't tell definitively whether this blood is tainted or not  
7 because there could have been any number of dilution  
8 samples. So, no matter what value you get at the end, you  
9 could justify it by saying it was either present on the  
10 t-shirt with some soap or detergent, or it was diluted to  
11 this concentration, so you no longer have a yes/no -- by  
12 analogy, a pregnancy test, if you find HGA, whatever it is,  
13 it's definitive. So, we're now not sure that we're going to  
14 get any definitive results, even if you do your test.

THE WITNESS: I'm really trying to point out that  
16 it's not as simple as a number of 1,300 nanograms per  
17 microliter or 7,200 nanograms per milligram. There are  
18 practical considerations. At some point, say, one to ten or  
19 so, you're not going to get a DNA result out of it anyway.  
20 At some point, the minor components -- show.

THE COURT: What do you mean?

THE WITNESS: When they take cuttings, they're  
23 taking a finite mass for DNA. If it's equal parts of two  
24 people, the testing can certainly be taken as to people. If  
25 a person is in the so-called minor component, they can still

1 detect that first. But, at some point, if a person is so  
2 minor in the mixture, the DNA testing won't detect it. And  
3 similarly, at that point, they wouldn't be finding EDTA  
4 anyway.

5 THE COURT: You can proceed.

6 THE WITNESS: Now, these are actual data from  
7 samples that Doctor DeForest prepared and sent: me.  
8 haven't included all the negative samples, but he sent me a  
9 bunch of negatives as well, and there were no false  
10 positives. What I'm showing here are the results in terms  
11 of nanograms of EDTA per milligram of stain, bearing in mind  
12 the -- value of 7,200 and also bearing in mind that these  
13 specimens were literally straight blood plates off of the  
14 cloth and weighed.

15 Now, you notice that most of these fall in the  
16 four to five thousand, maybe three thousand nanograms of  
17 EDTA per milligram range.

18 THE COURT: With one glaring exception.

19 THE WITNESS: Well, there are a couple of  
20 exceptions. This is an exception. That's pretty low,  
21 considering this theoretical data. That's extremely low  
22 considering this theoretical data. And I actually remember  
23 going back and repeating this one, because I was afraid I'd  
24 done something wrong and I replicated the finding. These  
25 were mixtures. Peter DeForest I thought he was either

1 sending me purple top blood or not purple top blood. Well,  
2 he threw in a couple of specimens where he mixed this up  
together. And I actually directly called him and I told him  
4 I think that one's a mixture and I think that one's a  
5 mixture. And he said that's exactly what they were.

6           There were similar results on the cloth where we  
7 had to use the weight and subtracting method. Here, the  
8 numbers are a little bit higher in the four to eight  
9 thousand nanograms of EDTA per milligram range. But, again,  
10 with a clear notable exception. This one shows up at 242.  
11 And I again called that one a mixture. I think I called  
12 that one a mixture, too, and they both were. You can see  
13 that, when it gets mixed together, in terms of mass of EDTA  
14 per milligram or microliter, if you want to use the volume  
15 metric measure, it necessarily has to go down.

16           This really brings us to the importance of  
17 comparing stained and unstained areas on a piece of  
18 evidence. This is another presentation that the previous  
19 two were 96 and 97. This one was -- thousand one. This is  
20 a case where there were two bloodstains on a pair of  
21 coveralls. The coveralls were the suspect's coveralls, or  
22 at least they were attributed to the suspect. One of those  
23 bloodstains came back both serologically and ultimately  
24 through DNA testing as consistent with the victim's blood,  
25 thus tying the suspect to the crime.

1           This was a situation where the Defense, who called  
me into the case, did not know whether it was a purple top  
or yellow top or gray top, or just what the heck was  
involved. So, I recommended that we test for everything.  
5 tested for EDTA first and found nothing. This was an  
instance where it was possible to use the weighing method,  
the weighing cloth method and basically subtracting out the  
8 mass of unstained cloth where we could determine how much  
9 was present, how much dried blood was present.

10           We had two stains and it was very dark cloth and  
11 it was very difficult to see the stain, but it's pretty  
12 heavy. And one substrate control, and that was from a  
13 neighboring part of the coveralls. As I said, I tested for  
14 EDTA first and found nothing. We also had a sample of the  
15 victim's reference sample. I tested then for citric acid  
16 and oxalic acid, and much to my surprise, the  
17 coveralls -- one of the coverall stains was loaded with  
18 citric acid. The other stain had nothing and the substrate  
19 control had nothing. This is the coverall stain. This is  
20 the victim's reference sample -- this is the citric acid  
21 stain. Again, we go to the --

22           Now, unlike EDTA, which as Doctor Steinberger  
23 indicated, is not normally present in human blood, and that  
24 was established through -- chromatokinetics (phonetic)  
25 studied back in the 50's. Normal human blood does contain

1 citric acid, and it's at a tightly regulated level. This is  
2 a comparison of the coverall stain with normal human blood.  
3 This is-an obvious difference. And this number is entirely  
4 consistent with what you would expect to find from any  
citric acid preserved or any coagulated bloodstain.

5 You can get -- if you think about this normal  
6 blood, rather than being normal blood, but a value for  
7 substrate control, you can get entirely comparable  
8 comparisons. And substrate control might have values that  
9 are running in the low numbers, and most things have values  
10 in low numbers and then all of a sudden up pops the high  
11 value. I think that comparison can be very meaningful.

12 As I've indicated, I do the EDTA testing by  
13 lipochromatography tandem massspectrometry. Oxalic acid and  
14 citric acid testing uses gas chromatography massspectrometry.  
15 But, it doesn't add much to the overall process. The  
16 evidence gets soaked. I take one aloquot (phonetic),  
17 process it for EDTA testing, and in parallel, process  
18 another aloquot or portion of the liquid for citric acid  
19 testing. So, it basically can,be done in parallel. I  
20 believe that's pretty much what I had for my presentation,  
21 your Honor.

22 THE COURT: Counsel, anything further? Did you  
23 want to clarify?

24 MR. ALEXANDER: I just wanted to ask Doctor  
25

Ballard one or two things that may not have arisen.

KEVIN BALLARD - PETITIONER'S WITNESS - PREVIOUSLY SWORN

DIRECT EXAMINATION (Resumed)

BY MR. ALEXANDER:

5 Q You described or made reference to the O.J. Simpson  
case.

7 A Yes.

Q And you did not testify in that case, though, correct?

A I did not testify.

10 Q Okay, but you did have a role in that case, correct?

11 A I consulted in that case, yes.

12 Q Okay, now, and you consulted for the Defense, is that  
13 correct?

14 A Yes.

15 Q Now, with regard to Doctor Marks who was the  
16 prosecution's expert on the EDTA, did you have an  
17 opportunity to review the findings that he made?

18 A Yes, I did.

19 Q Okay, and what were his initial findings?

20 A He initially recorded no EDTA detection.

21 Q Okay, and did you then review that finding?

22 A - Yes, I did.

23 Q Okay, and what did you conclude?

24 A I concluded that there was in fact EDTA had been  
25 detected on two key evidence items, a sock and the -- stain.

Q And in the course of making that determination, you  
2 made reference earlier to there being different so-called  
3 forms, I believe you said, of EDTA.

4 A Yes..

5 Q Were you able to learn the error that Doctor Marks had  
6 made?

7 A Well, I disagreed with the approach that he and the FBI  
8 in general had taken in analyzing EDTA, because I felt like  
9 it did not adequately take into account all the different  
10 forms of EDTA. It basically --

11 Q Go back to your slide that might demonstrate that.

12 A It basically tested for the least abundant form. The  
13 testing that the FBI did in the Simpson case tested for this  
14 form of EDTA. And the PH's that are involved in a soak  
15 stain and especially in the OC -- which would be along here,  
16 this is one of the least abundant forms of EDTA. So -- and  
17 Doctor Steinberger pointed this out. The FBI testing method  
18 was about 1,000 times less sensitive than the methods that I  
19 use and that Professor Hinton used. That sock stain was  
20 extremely clean, very clean. Nevertheless, there were  
21 signals present. Let me put it in the present, and this is  
22 liquid chromatography tandem massspectrometry. There was no  
23 doubt in my mind that he had detected it, and ultimately, he  
24 admitted that he had.

25 Q Now, final question. We're going to hear from Doctor

1 DeForest shortly, and would you just describe for the Court  
2 the role that Doctor DeForest would play in connection with  
3 the analysis that you would do in this case?

4 A Yes, I would like Doctor DeForest to do cuttings in  
5 this case. I'd like for him to examine the evidence,  
6 because he really is a superb expert in looking at  
7 bloodstains, choosing which ones are appropriate for  
8 analysis, choosing appropriate substrate control areas. I  
9 would like for him to do cuttings.

10 MR. ALEXANDER: Thank you very much, Doctor  
11 Ballard. I have nothing more.

12 THE COURT: Was there a previous criminologist for  
13 the Defense?

14 MR. ALEXANDER: I'm told by Mr. Coches the answer  
15 is yes. But, I don't, standing here right now, know the  
16 scope of what he did. But, we'll certainly find that out  
17 and report it to the Court. Thank you.

18 THE COURT: Would you like him here or here?

19 MS. WILKENS: Would you be more comfortable over  
20 there, Doctor?

21 THE COURT: You're not going to need the slides,  
22 so --

23 MS. WILKENS: Yeah, I don't think I need the  
24 slides. So, that makes sense.

25 //

## 1 CROSS EXAMINATION

2 BY MS. WILKENS:

3 Q Now, Doctor Ballard, is it necessary in order to  
4 determine the level of EDTA, is it necessary to quantify the  
5 amount of blood that you're working with?

6 A It's always helpful to quantify the amount of blood.  
7 When you're dealing with extremely faint stains like we're  
8 looking at on this t-shirt, though it's difficult, you can  
9 get an eyeball estimate, but it's difficult to get a precise  
10 quantity when they're that faint.

11 Q So, it's helpful, but not necessary.

12 A I think it should be done whenever possible, but not  
13 absolutely necessary when you're dealing with faint stains.

14 Q How do you insure the accuracy of the quantity of EDTA  
15 being measured if you're not able to quantify the amount of  
16 blood that you're testing?

17 A The accuracy of the EDTA determination really isn't  
18 affected by whether or not you know how much blood it is.  
19 So, you're talking about two different measurements. You're  
20 talking about a numerator and a denominator. The numerator  
21 being the nanograms of EDTA, the denominator being per  
22 milligram of blood. The accuracy of the EDTA measurement  
23 itself is unaffected by whether or not you know the  
24 denominator. And with faint stains, what you're doing is  
25 comparing stained and unstained controls with the working

1 hypothesis that any EDTA found is due to background in the  
2 shirt.

3 Q How do you know that the two different materials you're  
4 working with are precisely the same with the exception of  
5 the blood?

6 A No two cuttings are exactly the same. They're  
7 necessarily different cuttings, but they're from different  
8 areas of the same evidence item.

9 Q Well, if they're not necessarily the same, how do you  
10 discern any scientific import to any differences in EDTA  
11 levels between the two different samples if you don't know  
12 that they're exactly the same?

13 A It's directly analogous to substrate controls in DNA  
14 testing. You do DNA testing on a stained area and you get a  
15 result. You do DNA testing on an unstained area, if you get  
16 the same result, you know that your finding is meaningless.  
17 If on the other hand you get a very different result, i.e.,  
18 no DNA on the unstained area and DNA on the stained area,  
19 then you know you've got a meaningful finding.

20 Q Well, if you're getting DNA in the stained area, when  
21 you take that DNA, you're looking at information that allows  
22 you to figure out whose DNA it is. You prepare a profile.  
23 Is that correct?

24 A That's correct.

25 Q Do you have anything that's similar in terms of EDTA?

1 I mean, you get a level of EDTA. You don't get a profile.  
Is that correct?

3 A That's right. You get a level.

4 Q Okay, and how do you establish any scientific  
significance to a particular level of EDTA when you are not  
6 working with samples that are precisely the same size?

7 A Well, they're not going to be precisely the same size.  
8 they're certainly going to be approximately the same size,  
9 and you can always make the measurement of what the surface  
10 area is. You can even simply weigh the cloth itself, which  
11 is a good way to do it. Basically, what you would be doing  
12 is measuring EDTA per square centimeter of cloth, or EDTA  
13 per milligram of cloth, when you're dealing with a faint  
14 stain now I'm talking about, and looking to see if there's a  
15 significant difference. And ideally, you'll do multiple  
16 controls to get a good sampling of the shirt, and this shirt  
17 I think is suitable for that because it doesn't have any  
18 stains on it. And --

19 Q I'm sorry, the shirt does not have any stains on it?

20 A It doesn't have -- it's not a blood-soaked shirt so you  
21 can get good substrate controls is what I'm saying. There  
22 are unstained areas there to sample.

23 Q Okay, and when you're mentioning stains, you're  
24 referring to blood smears, bloodstains?

25 A Yes.

Q You're not referring to other possible stains?

A I'm referring specifically to the bloodstains. Other stains can certainly be tested as well, if they're simply dirt or something like that.

Q If you have a smear of blood on a t-shirt, is it going to be equally distributed across the surface of the t-shirt?

A It should. It's not necessarily going to be, but it should be. There is EDTA in laundry detergent, for example. When a garment is carried through a wash cycle, the last thing that happens to it is it gets rinsed. Well, it depends on how effective that rinse is as to what, if any, residual EDTA will be there. But, on the assumption that there is, it will be uniformly distributed across that shirt. Now, if that shirt has had -- now, there's EDTA in food, in beverages. If some of those things have been splashed on it, then that would result in a non-uniform distribution of EDTA on that shirt. But, such things are typically rather low in EDTA concentration.

Q So, if something were on the shirt, it's not necessarily going to be equally distributed.

A Not necessarily, that's true.

Q I was curious earlier, because I don't know how much laundry you do, but I didn't really understand the notion --

A Since I'm divorced, I do a lot more than I used to.

1 I'm sorry.

2 Q Well, I didn't really understand the notion that all  
the products that you would use in laundering a garment  
4 would be equally distributed and rinsed away, because you  
5 might, for example, pour the detergent on a stain or you  
might apply stain remover, and also, there's all those  
7 variables in terms of the quality of your washing machine,  
in terms of the rinse cycle.

9 A That's true. What you have to remember, though, is  
10 that EDTA, the forms that we use in daily life, everything  
11 except the H4Y form is extremely soluble in water, and it  
12 distributes throughout the water with a minimum amount of  
13 agitation. It's just evenly distributed in a very short  
14 period of time.

15 Q But, that presumes the t-shirt's been laundered.

16 A Yes, that's correct.

17 Q What if the person who wore the t-shirt had deodorant?  
18 Would that be applied to the garment, potentially?

19 A That's possible, yes.

20 Q And it wouldn't be equally distributed across the  
21 garment.

22 A No, it would be in a contact pattern, yes.

23 Q Now, you mentioned that you have done experiments where  
24 you have tested for both DNA and EDTA in the same sample, is  
25 that correct?

1 A Yes, it is.

2 Q And have you ever published your work in that area?

3 A Other than the one. poster` presentation that I showed  
4 you, no. And I'm not sure if that was included in the  
5 extended abstract for that poster or not. I can't remember.

Q Are you aware of any other published -- not any other.  
7 Are you aware of any published works where anyone has done  
8 the type of experiment that you undertook to do, resulting  
9 in testing for both DNA and EDTA levels in the same sample?

10 A Not, I'm not aware of any such studies.

11 • Have you ever subjected your experiments to peer  
12 review?

13 A In the form of meeting presentations only.

14 Q And how many meeting presentations?

15 A Three so far.

16 Q And when and where were those?

17 A Those were in 1996, 1997 and 2001 at the American  
18 Society for Massspectrometry meetings.

19 Q And you have never tested the same sample for DNA and  
20 EDTA for purposes of a forensic case. Is that correct?

21 A Bear in mind, I don't do the DNA testing. But, that's  
22 not correct. I've worked several cases where both EDTA and  
23 DNA testing were done on the same specimens.

24 Q What cases?

25 A I'm actually -- I think I'm not at liberty to say

because they were always negative specimens and there was no  
2 report and it falls under attorney/client privilege.

3 Q So, you've never testified in a court of law to the  
4 results of testing one sample and achieving DNA and EDTA  
5 results.

6 A On the same sample, no. You're right. I have not.

7 Q Now, with respect to the simulated tampering chart that  
you showed earlier, what was the concentration of the blood  
9 that you used for the stains when you were simulating  
10 tampering? How much blood did you use?

11 A In terms of the purple top blood? Is that what you're  
12 asking me? How much purple top blood did --

13 Q Sure, how much purple top blood?

14 A Well, that's included in the chart. It was either one  
15 microliter or ten microliters or none at all.

16 Q Okay. So, considerably more than a smear of blood.

17 A Yes. Well, one microliter is pretty close, actually.

18 A smear, like what we were looking at earlier, that 6G item,  
19 that's going to be in the ballpark of a microliter, in my  
20 opinion, between a half a microliter and a microliter.

21 Q But, you've never undertaken your tampering analysis  
22 with respect to smears. You've used a larger quantity of  
23 blood, is that correct?

24 A I've tested deliberately as little as a tenth of a  
25 microliter of blood. Now, whether it was smeared, it wasn't

1 smeared. It was applied directly out of a syringe onto a  
2 piece of cloth. But, it would be equivalent to an extremely  
3 faint smear.

4 Q Is there a minimum amount of blood that's required  
5 before you can obtain an EDTA reading?

6 A Yes.

7 Q What would that be?

8 A The way I routinely set the test up, it would be  
9 approximately one nanoliter of blood, which is one one-  
10 thousandth of a microliter of blood.

11 Q And how does that compare to the size of the blood  
12 smear on the t-shirt?

13 A Well, if I'm correct that that looks like about a  
14 microliter, then that would be one one-thousandth part of  
15 that.

16 Q Okay, and when you perform your EDTA testing, can the  
17 results of your tests be reproduced?

18 A I can certainly reproduce them, and others should be  
19 able to as well, if they use the same method that I used.

20 Q So, you don't consume the sample in your testing?

21 A Perhaps I misunderstood your question. I will  
22 certainly -- yes, others can reproduce it, because I never  
23 fully consumed the liquid soak that I generate. I'll use  
24 like 20 to 30 percent of it, and the remainder would be  
25 available for somebody else to test, yes.

Q And it doesn't matter how small of an amount of blood  
2 you're working with. As long as you don't need all the  
3 soak, someone else could reproduce the exact same results  
4 that you obtained.

A They should be able to.

Q Has that ever been done?

7 A No.

Q Would you agree that EDTA is very ubiquitous, very  
9 freely found in the environment?

10 A Absolutely.

11 Q How do you rule out to a scientific certainty that  
12 there's other possible explanations for the presence of EDTA  
13 other than tampering?

14 A Well, there's -- technically, the testing cannot tell  
15 you whether it was done accidentally or deliberately. All  
16 that testing can tell you or potentially tell you is that a  
17 specimen was contaminated. If you look at seven specimens,  
18 though, and they're all contaminated, you begin to start to  
19 rule out accident in your own mind.

20 Q Now, when you say they're contaminated, are you saying  
21 they're contaminated with purple top blood or just  
22 contaminated from the environment?

23 A What I'm talking about is, where you've got clear  
24 evidence of bloodstain associated EDTA, that where there's a  
25 bloodstain, there's EDTA, and when there's no bloodstain,

1 there's no EDTA, when there's clear evidence, then that  
blood could not have come from a normal human being. Now,  
that means it came from a purple top blood. Well, was that  
4 an accident or was that deliberate? Well, then, it becomes  
5 a judgment call.

Q Well, isn't it possible that there's contamination from  
7 another source of EDTA other than blood in a purple stopper?

A It's always possible, yes. It's always possible.

Q How do you rule that out scientifically?

10 A Again, that's a judgment call.

11 Q Well, how do you make that judgment call?

12 A By testing as many stains and as many controls as you  
13 possibly can.

14 Q So, you basically test an entire t-shirt?

15 A Test a reasonable sample in the vicinity of the stains  
16 in question.

17 Q What's a reasonable sample, one, two?

18 A I think three is.

19 Q Okay, how do you arrive at three?

20 A It would be a good triangulation around a stain. It's  
21 a good representative sample of what the background on that  
22 cloth is going to look like.

23 Q And the background is going to be consistent throughout  
24 that area.

25 A It should be.

Q Why?

2 A Because of the high water solubility of EDTA.

3 Q Well, if there's a high water solubility for EDTA, that  
4 doesn't mean that it's going to be confined consistently  
across the garment, is that correct? It would mean the  
opposite.

A No. EDTA, from things like laundry and the like, which  
is the most likely source of EDTA on a t-shirt, is going to  
9 be uniformly distributed across that thing because of the  
10 high water solubility of EDTA. If EDTA were not highly  
11 water soluble, then you would expect an unevenness.

12 Q Well, don't you need to know the history of the t-  
13 shirt?

14 A It would be nice, but what we're doing is using the  
15 controls to find out about the history of the t-shirt. The  
16 controls tell us something about the history of the t-shirt.

17 Q Well, how many controls are necessary to give you the  
18 history of a t-shirt?

19 A Do you really want me to answer that question? There's  
20 no way you're going to gain the entire history of a t-shirt  
21 from controls. But, you gain some information about the  
22 background level of EDTA to be expected near the stain from  
23 the controls.

24 Q Well, wouldn't it be the same over the entire t-shirt?

25 A It should be, but as we've discussed, it isn't

1 necessarily so.

2 Q Now, do you keep re-agents that have EDTA, do you keep  
3 those in your laboratory?

A We have a number of re-agents in the laboratory that  
5 have EDTA in them, yes.

Q Okay. What form are those? Are they powder, liquid?

A We have the powder in the laboratory. We have  
particularly mobile phases in our HBOC department that have  
9 EDTA contained within a liquid medium at a predefined  
10 concentration.

11 Q But, how do you store these re-agents that contain  
12 EDTA? How do you store those in your laboratory?

13 A The powder is simply contained in a bottle inside a  
14 cabinet with a sliding glass door. The re-agents that are  
15 being used out in LCMS are literally bottles on top of the  
16 instruments. They're there all the time.

17 Q What do you use these re-agents for, generally?

18 A The biggest use my laboratory has for EDTA is as a  
19 mobile phase or as a component in mobile phases for HPLC  
20 instruments that use electric chemical detection. That's  
21 the single biggest use we have for it. And because we have  
22 to make those re-agents -- they get consumed, we have to  
23 make the again -- we keep the powder on hand to make that  
24 re-agent.

25 Q What do you do to prevent these re-agents containing

EDTA from coming in contact with your test samples?

2 A First of all, I don't work anywhere near them. Second  
3 of all, I always do what's known as a re-agent blank that  
controls for every re-agent that -- every re-agent, and for  
5 that matter, test tube piece of apparatus that the samples  
are exposed to during this testing process.

7 Q Now, earlier, you were explaining that it's easily  
8 predictable if you take blood that does not contain EDTA and  
9 you add it to blood that does contain EDTA, because you're  
10 going to know the exact volume, and therefore, you're able  
11 to determine with precision.

12 A You'll know exactly what the concentration is in that  
13 mixture, yes.

14 Q Is it as easily predictable when you don't know the  
15 exact quantity of the blood you're working with?

16 A Absolutely not.

17 Q Okay, and you have not means of knowing the exact  
18 quantity of the blood from a blood smear.

19 A No, but you can tell looking at it that it's certainly  
2.0 no more than such and such, and certainly no less than such  
21 and such. I mean, you can get a pretty good estimate just  
22 looking at it, if you've got enough experience as to how  
23 much blood that originally represented.

24 Q Well, to look at it, you need to know how much it  
25 originally was, or you can just look at it and know.

A You can get a good idea looking at it. You don't know exactly, but like for example, I don't think there's anybody here that's seen that photograph that would argue that that was a full drop of blood. We all know it wasn't. It's very much less than that. And based on the work that I've done with bloodstains, it looks like it's in the vicinity of a microliter of blood to me.

Q Well, is a good idea of the quantity of blood enough to know the significance' of an. EDTA level?

A I don't need to know the quantity of blood at all in a situation like that, because I'm thinking in terms of the background. Is it blood or not, first of all, and I think we're all in agreement that it's blood. You check neighboring unstained areas, you check that area, you expect them to be the same. If they're different and that blood is straight from a purple top tube, they will be very different, because one microliter is a 1,300 nanograms of EDTA, and that will be a gigantic difference from what you would expect from a typical shirt, which is going to be somewhere like 10 to 20 nanograms per centimeter area, something like that.

Q So, you're saying --

THE COURT: Just a second. The typical shirt, you would expect to have what?

THE WITNESS: Somewhere in the five to twenty-five

nanogrms of EDTA per square centimeter, in that ballpark.

THE COURT: And the purple top blood?

3 THE WITNESS: One microliter will contain 1,300  
nanograms.

5 BY MS. WILKENS:

6 Q Doctor, are there published studies that indicate the  
7 amount of EDTA that would be expected to be found on a t-  
8 shirt per centimeter?

A - Published studies, no, not that I'm aware of.

10 Q Where are you getting the figure that you just provided  
11 the Court?

12 A Purely from experience. I've tested a lot .of evidence,  
13 and it's purely from experience. You usually find a little  
14 bit of EDTA on most evidence items that I've had an  
15 opportunity to test. You find a little bit. It's not  
16 perfectly clean. But, it's not enough to write home about,  
17 either.

18 Q So, you generally do not find any appreciable levels of  
19 EDTA on a garment.

20 A I've tested somewhere between 12 and 15 forensic cases  
21 for EDTA, and the vast majority of the time, I find  
22 absolutely nothing.

23 Q I'm sorry, 12 to 15?

24 A Yes, all over this country.

25 Q Now, you indicated that if you're unable to detect DNA,

1 then it's axiomatic that you would not be able to detect  
2 EDTA, is that correct?

3 A I don't think I understand the question.

Q I thought I understood you to say that, if there wasn't  
a sufficient amount of blood for you to get a result of DNA,  
6 then you wouldn't have a sufficient amount to get EDTA. Did  
you say that?

8 A I did say that with the underlying assumption that the  
9 DNA that you couldn't detect came from a purple top tube.

10 Q I missed that assumption. Okay.

11 THE COURT: Say that again?

12 THE WITNESS: When I was making the analogy of  
13 extreme dilution where you dilute purple top blood out with  
14 non-purple top blood to the point where DNA testing doesn't  
15 work anymore, you no longer find a minor component, well,  
16 simultaneously, EDTA is not going to find anything, either.

17 BY MS. WILKENS:

18 Q Are you aware of any scientific studies that compare  
19 particular EDTA levels to tampering? And by "tampering," I  
20 mean the use of blood in a purple topped tube.

21 A Other than the one that I showed you that my colleagues  
22 and I did, that's the only one I'm aware of.

23 Q Okay, and was that published?

24 A Only in the form of presentation at a meeting and the  
25 corresponding media abstract.

1 Q Now, you mentioned that you had worked on a case with a  
2 pair of coveralls.

3 A Yes.

Q What state was that?

5 A That was Texas. That was Texas v. Odelle Barnes  
6 (phonetic).

7 Q And did you testify in that matter?

8 A I did not testify in that matter.

9 Q You provided declarations?

10 A I provided a report.

11 Q Okay, so, you never provided a sworn declaration in  
12 that case.

13 A I never had the opportunity t

14 Q Now, you had mentioned that you've worked in  
15 conjunction with the FBI, is that correct?

16 A Yes.

17 Q And you've mentioned that you've worked for the State  
18 of Florida, is that correct?

19 A Yes.

20 Q And you mentioned an analytical toxicologist that you  
21 hold in high regard would be Mark LeBeau, is that correct?

22 A That's correct.

23 Q Did you work with Mr. LeBeau in the case of the State  
24 of Florida v. William Cybers (phonetic)?

25 A We both worked on that case, not together. We did

1 independent testing on that. case.

2 Q Was Mr. LeBeau able to duplicate the results of your  
3 testing in that case?

4 A Yes, he was.

5 Q All of your results?

6 A Not all of them, no. He didn't have the sensitivity to  
duplicate some of the lower levels, but the higher ones, he  
8 did.

9 Q And you testified in court in that case, is that  
10 correct?

11 A Yes, I did.

12 Q And was that case reversed?

13 A That case was reversed, yes, it was.

14 Q Were there other cases where you had to contact law  
15 enforcement or the prosecution and advise them not to rely  
16 on your results?

17 A I had to advise them that the interpretation of results  
18 had changed because of a new scientific discovery, and as a  
19 consequence, they had to basically put the case on hold.

20 Q How many cases did you have to make a notification of  
21 that nature?

22 A Just the one. No, that's not quite right. There was  
23 one criminal case and one civil case.

24 Q And what was the new scientific discovery that caused  
25 you to notify them not to rely on your opinion?

1 A The discovery that a compound called  
2 succsenalmonocholine (phonetic) is present at apparently low  
3 but detectable levels in liver and kidneys from recent  
decedents.

Q And who made that new scientific discovery?

A The FBI and National Medical Services concurrently or  
7 working together made that discovery.

8 Q Okay, and that knowledge- was not available to you when  
9 you did your testing?

10 A That's correct.

11 Q And there's no other cases where you've had to notify  
12 them to disregard your test results?

13 A Not that I can recall.

14 MS. WILKENS: Thank you, your Honor.

15 MR. ALEXANDER: May I ask one question of Doctor  
16 Ballard?

17 THE COURT: Sure.

18 REDIRECT EXAMINATION

19 BY MR. ALEXANDER:

20 Q Doctor Ballard, we've gone into a lot of detail and the  
21 like, but I think one question that hasn't been asked or  
22 explained by you -- and if it has, I missed it and I  
23 apologize -- and that is with regard to human blood just  
24 inside our bodies, okay, are there detectable levels of  
25 EDTA?

A       There are not detectable levels of EDTA in normal human  
blood. This was established by an elegant pharmacokinetic  
3 study done back in the 50's where what they did was they  
administered a pretty high dose of very radioactive EDTA.  
5 Radioactive testing allows very high sensitivity, even  
higher than what massspectrometry can do. And they were  
7 unable to detect EDTA in blood after administering a very  
8 large oral dose. Some EDTA, however, is absorbed, because  
what they did observe was that, over time, radioactivity  
10 appeared in the urine. What they concluded was that  
11 approximately five percent of orally administered EDTA is  
12 absorbed over approximately an 18-hour period. It doesn't  
13 accumulate to any extent in the blood because it's  
14 actively -- very actively excreted in the urine by the  
15 kidneys. So, in the absence of something like renal  
16 failure, it will not accumulate in human blood from people  
17 just eating EDTA, which we all do. We eat EDTA everyday.

18               MR. ALEXANDER: Thank you very much, Doctor  
19 Ballard. Nothing further from me, your Honor.

20               THE COURT: Thank you, anything else?

21               MS. WILKENS: No, your Honor, thank you.

22               THE COURT: You may step down. Now, is it time to  
23 call our --

24               MR. HILE: Yes, your Honor, that would be great if  
25 we could do that.

THE COURT: Okay. Now, it's really important,  
2 when we have somebody by phone, that you be close to a  
3 microphone so that he can hear.

4 (Pause.)

5 THE CLERK: Mr. DeForest?

6 THE WITNESS: Yes.

7 THE CLERK: Raise your right hand, please.

PETER R. DEFOREST - PETITIONER'S WITNESS- SWORN

9 THE CLERK: Please state your name and spell your  
10 last name for the record.

11 THE WITNESS: Peter R. DeForest. D-E, capital  
12 F-O-R-E-S-T.

13 THE CLERK: Thank you.

14 THE COURT: You may proceed.

15 MR. HILE: Thank you, your Honor.

16 DIRECT EXAMINATION

17 BY MR. HILE:

18 Q Good afternoon, Doctor DeForest.

19 A Good afternoon.

20 Q This is Norman Hile speaking.

21 MR. HILE: I'm going to, at this point, ask the  
22 Court to receive a CV for Doctor DeForest, which we would  
23 ask the Court to mark next and I think that would be 4.

24 THE COURT: I think it's 4. This is Petitioner's  
25 4 which is received for purposes of this proceeding.

1 BY MR. RILE:

2 Q Doctor DeForest, we have heard so far from two  
3 scientists today, one, Terry Melton, who mentioned that she  
4 would like to have you be the person who performed  
5 microscopy with respect to hair samples before they are  
6 tested under her laboratory for mitochondrial DNA, and also  
7 from Doctor Kevin Ballard, who has testified that he would  
8 like you to be the person to establish the samples for  
9 testing for anticoagulants or preservatives. Can you tell  
10 the Court very briefly your background with respect to those  
11 two areas?

12 A Okay. To make it clear here, I'm having a hard time  
13 hearing you. I'm wondering about having you closer to the  
14 microphone or something. But, I think I got the gist of  
15 what you're asking. You had asked about my background.  
16 I think the CV is there, but in terms of the things that are  
17 germane to what we're discussing today, the major things  
18 would be that, you know, I have expertise in terms of  
19 bloodstain patterns, which would be important here in  
20 determining whether or not a particular stain fits with the  
21 event that is alleged to have taken place and whether or not  
22 that stain shows any signs of any post-event modification,  
23 that kind of thing, and in addition, in the area of the  
24 hairs, the ability to be able to look at hairs and help  
25 evaluate whether they would be contemporaneous with the

1 event, whether they might be something that we call trash  
2 hairs, hairs that have lain in the environment for a long  
3 time, or whether they're hairs that could be contemporaneous  
4 with the event under investigation.

5 Q Now, have you performed microscopy in advance of  
6 mitochondrial DNA testing in the past?

7 A Oh, yes.

8 Q Can you tell us about how many times?

9 A Oh, I don't know, probably a couple of dozen, I would  
10 guess.

11 Q What types of information do you need to do that?

12 A The type of information that are derived from doing  
13 that is what you're asking or --

14 Q Yes, what do you need to look at and to have in order  
15 to make an accurate assessment in microscopy for determining  
16 which hairs should be tested for mitochondrial DNA?

17 A Well, it's really both the condition of the hair as to  
18 whether or not it is a hair that appears to be  
19 contemporaneous with the event, whether it shows any signs  
20 of environmental exposure that might preclude a  
21 mitochondrial result and things involving the size as well.  
22 I had a recent one where the particular hair sample was  
23 apparently a limb hair, or a hair from an arm or a leg of a  
24 child that was just way too small to be likely to yield  
25 testable quantities of mitochondrial DNA.

1 Q Do you also prepare the samples, then, prior to them  
2 actually going to the lab for the mitochondrial DNA testing?

A Yes. Some people prefer it. Some of the  
4 microbiologists that do the analysis, particularly Doctor  
5 Melton, prefer that I be the one that prepares the hair and  
6 secures it in an epindorf (phonetic) tube before it is sent  
7 for analysis.

8 Q Let me turn now to analysis of bloodstains or smears.  
9 We've just been looking at a blow-up photograph of the  
10 t-shirt that is one of the subjects for testing in this case  
11 with respect to anticoagulants. Have you seen some pictures  
12 of the t-shirt?

13 A I have. Nothing that I would regard as being a very  
14 good picture.

15 Q For purposes of doing the cutting of the samples to  
16 take, can you describe for the Court briefly what you would  
17 be looking for?

18 A Well, I'd want to see the actual t-shirt and I wouldn't  
19 have to do the actual cutting myself if I saw the t-shirt.  
20 But, what I'd want to do is assess the nature of the stain  
21 and the surrounding area, so that it would be possible to  
22 obtain a sample that would clearly represent a source of  
23 blood and perhaps assess whether or not it's a single source  
24 or perhaps a mixed source. And then, choose areas around  
25 that that would be a good control sample for further

1 analysis.

2 Q All right, we've also talked earlier, you and I, about  
the issue of testing what has been called A41, a bloodstain  
4 from the wall in the Ryan home. What will you need to do to  
assist in analyzing that stain?

6 A I think the first thing would be I'd want to see a  
7 quality photograph of that stain as it was before it was  
8 sampled. The -- I have at this point is that a portion of  
9 the wall was actually taken out in the process of collecting  
10 that stain. So, the photographs that were taken prior t  
11 the sample of the wall being taken would be very important.

12 Q Now, Doctor DeForest, can you describe briefly the  
13 difference between a blood spatter and a blood smear for the  
14 Court?

15 A The term "spatter" is normally used to refer to an  
16 overall pattern which consists of stains made by airborne  
17 droplets, droplets of blood that have been either dropped or  
18 projected, have been allowed to coalesce and form little  
19 spheres that then travel from spade and then contact  
20 something leaving stains. Whereas, a smear would be a  
21 contact transfer where the blood is on one surface and is  
22 transferred to another, or in the alternative, the blood  
23 rests on one surface in liquid form and then is spread out  
24 onto that surface by the action of another surface coming  
25 into contact with it.

1 Q I'd like to now ask you somewhat of a hypothetical  
2 question. If you have a bloodstain that has tested positive  
3 for two persons' DNA, is it possible that one of those  
4 persons' DNA is on the bloodstain not as a result of a  
5 dilution of blood, but from another source?

A Okay, I didn't hear the question clearly, but I think I  
understand the gist of it. Let me give an answer here, and  
8 if that's not appropriate, you can re-ask the question.  
9 But, the issue I see here is that it's the difficulty of  
10 knowing whether or not a DNA result is from blood or from  
11 some other source of DNA. We need to remind ourselves that  
12 blood contains DNA only in the leukocytes, in the white  
13 cells that are in the blood. And the white cells are  
14 present in the blood at about one one-thousandth of the  
15 population of the red cells. So, blood is not a rich source  
16 of DNA. We need to have nucleated cells for DNA, and the  
17 only nucleated cells in blood are the leukocytes. And  
18 again, these are about one-tenth of one percent of the total  
19 cells in the blood. It's conceivably very easy to have more  
20 DNA from other sources such as epithelial DNA being shed  
21 from the skin. We all are shedding hundreds of thousands of  
22 skin cells a day, and these are nucleated cells and  
23 therefore contain DNA. So, there needs to be a clear  
24 understanding and more research done to really look at a  
25 number of potential situations where mixed sources of DNA

1 could lead to results that are difficult to interpret, where  
2 we have, say, blood being deposited on a surface, say an  
3 article of clothing is one example where the habitual wearer  
of the clothing has shed faxivial (phonetic) cells onto  
5 that, and -- you know, certainly, if we have a situation  
6 where we have a fresh bloodstain appearing on a fairly clean  
7 surface and the quantity of blood is fairly large, we can  
8 probably be assured that the result we're getting from the  
DNA application is from the blood itself. But, there are  
10 situations that arise where that may not be so clear, where  
11 the blood may be -- a small amount may be diluted, it may be  
12 old, a number of things that could result in the  
13 contribution of the nuclear material from the blood being a  
14 minor portion of what the total DNA contribution is, and  
15 therefore, it might be that things from skin cells,  
16 epithelial DNA, could be what is being amplified. We also  
17 should remind ourselves that using the modern techniques  
18 that are utilized for DNA analysis, the amplification of a  
19 very small amount of DNA is what we're looking at. We're  
20 actually testing amplified DNA. We're looking at replicated  
21 DNA, DNA that has been amplified two to the 32nd power,  
22 something on the order of a billion times, or you know, ten  
23 to the ninth amplification. So, we're starting with a very  
24 small amount of DNA and amplifying it a billion-fold.

25 Q Thank you. That did answer my question and I

1 appreciate that. That's all the --

2 A Kind of a rambling answer, but --

MR. HILE: I appreciate that. That's all the  
4 questions that I have. Thank you very much, Doctor  
DeForest.

THE WITNESS: Are we concluded now, do .you think?

THE COURT: No, we're not. Now we have cross  
examination.

THE WITNESS: Okay.

10 CROSS EXAMINATION

11 BY MS. WILKENS:

12 Q Good afternoon, Doctor DeForest. I just --

13 THE COURT: Do you want to pull the microphone  
14 closer?

15 MS. WILKENS: Yeah.

16 BY MS. WILKENS:

17 Q I just have a few brief questions for you. Have you  
18 ever done EDTA testing yourself?

19 A I have not.

20 Q And are you a chemist?

21 A Say again?

22 Q Are you a chemist?

23 A Yes, uh-huh.

24 Q By training.

25 A I missed the last part there.

1 Q Can you give me an estimate of the cost for you to  
2 microscopically examine 100 hairs?

A Oh, boy. It really would depend on what the end  
4 purpose was, whether it was to look at the hairs to see  
5 whether or not they might be hairs that were shed around the  
6 time of the event or whether these might be hairs that were  
7 what are sort of commonly called trash hairs, hairs that  
have been damaged mechanically or from microbial activity,  
9 fungal activity, that kind of thing, that would occur for  
10 hairs that are left in the environment for a period of time.

11 THE COURT: The pUrpose would be to determine  
12 whether they're appropriate for a mitochondrial DNA testing.

13 THE WITNESS: I think we could probably assume  
14 that, in terms of assessing the suitability for  
15 mitochondrial DNA testing, simply looking at the amount of  
16 the hair, you know, the total mass of the hair and its gross  
17 condition would be adequate to do that, and that would  
18 probably not require mounting of the hairs, but simply a  
19 stereoscopic microscopic examination. And that might be  
20 done in a matter of a few hours.

21 BY MS. WILKENS:

22 Q Now, when you say a microscopic examination, what level  
23 of microscope are you going to use to look at 100 hairs in a  
24 couple of hours?

25 A Okay, well, if we're talking about that situation where

1 we're looking at gross features and trying to make a gross  
2 assessment of whether or not the condition of the hairs is  
3 such that they represent good candidates for the  
4 mitochondrial DNA analysis and the assessing the quantity or  
5 the mass of hair present, that would utilize a stereoscopic  
6 microscope and there will be no need for mounting the hairs  
7 and using things like a polarized microscope or a comparison  
8 microscope. So, you know, in terms of trying to economize  
9 here, I'm suggesting that approach might be adequate for  
10 making that kind of an assessment.

11 THE COURT: So, you're saying about two hours?

12 THE WITNESS: Two to four, I would think might be  
13 fair for that.

14 THE COURT: What's your hourly rate?

15 THE WITNESS: Mine is \$350 an hour.

16 THE COURT: What's the difference between just  
17 taking a random number and running them through a test  
18 versus --

19 THE WITNESS: Repeat that again?

20 THE COURT: Why couldn't you, as an alternative,  
21 just out of the 100 hairs take a random number and run  
22 tests?

23 THE WITNESS: We'd have to have some kind of a  
24 agreed-upon protocol that would be able to assure us that a  
25 random sample -- you know, that it was actually in fact

1 random, and that the work then would represent the variation  
2 in the total sample. If we had an agreed-upon way of doing  
3 that, a lesser number might be adequate. But, there's  
4 always a possibility that there's some outwires there and  
5 that kind of thing that wouldn't be covered by that.

BY MS. WILKENS:

7 Q If the hairs had already been examined using the same  
kind of microscope by other criminalists, and they took  
9 notes, would you work from the notes, or would you have to  
10 repeat their efforts?

11 A I think it would depend on how clear the notes are and  
12 who it was that had done the examination, and you know, what  
13 purpose that they were -- what was the goal of their  
14 examination.

15 Q If you were to examine 100 hairs and the goal were for  
16 you to select a number of those hairs, let's say ten, that  
17 would be the best hairs to perform mitochondrial testing on,  
18 both in terms of their suitability and both in terms of the  
19 evidence in the case, is that done in two to three hours, or  
20 do you put them on slides or do anything differently?

21 A I think that, from what you've described there, that  
22 the idea of a hair being examined with a stereomicroscope  
23 would be adequate. There are some kinds of damage that  
24 might not be detected by that, . but I think that most things  
25 would be detected using the stereomicroscope.

1           Okay, and what would your estimate be for 1,000,hairS?

A           Maybe ten times that, I guess. You know --

3 Q           Well, you don't get depressed and slow down for the  
4 second half of a thousand hairs. So, same estimate.

5 A           Yeah.

6                       THE COURT: Same estimate, meaning ten times more  
or two to four hours?

8                       THE WITNESS: If I understand what you're saying,  
increasing the number of samples by a factor of ten would  
10 increase the amount of time involved by a factor of ten.

11 BY MS. WILKENS:

12 Q           Now, with respect to the,mounting of the hairs, what is  
13 involved, because that's what you would do for mytotyping is  
14 you would mount the hairs to be tested on a slide, is that  
15 correct?

16 A           If we're talking about the kind of examination that has  
17 been discussed up to this point here, you know, when you and  
18 I first started this discourse here, I haven't envisioned  
19 mounting those. In other words, I would envision this being  
20 done unmounted with a low power stereoscopic microscope and  
21 just assessing the gross features of those, whether or  
22 not -- what the size is, whether there's any gross  
23 appearance of damage and that kind of thing. If we're  
24 talking about looking at hairs in terms of looking to see  
25 whether or not they -- if we could either eliminate certain

possible donors or whether they're consistent with a certain donor, that kind of thing, that would require a much more detailed examination that would require mounting.

Q So, you have the ability to examine the hairs and see if they have similar characteristics by color or texture, is that correct?

A Yes.

Q And what would be the purpose in making that kind of an examination? Would that help you select hairs that you would want to test over other hairs, potentially?

A Yes, in other words, there are sort of two major factors here. One is to eliminate hairs that are severely damaged from being in the environment for a long time, either mechanical damage or chemical damage, microbial damage that would indicate that they were not contemporaneous with the event, assuming there's been no change since the collection. Normally, when hairs are collected and put into a proper storage environment, we wouldn't expect to see those kinds of changes to continue. And then, the other would be whether or not the hairs can be assessed as to whether they have a likelihood of coming from a given individual. And the second problem is a much more involved one than the first.

Q Now, in terms of performing the second prong, what kind of time frame would be required to do the second prong on

1 one hair?

2 A On one hair.

Q Well, you'd have to compare it to a reference or  
4 another hair. But, I'm just trying to figure out how much  
5 more time.

6 A I'd want to make sure that I had an adequate known  
7 sample of each individual that I was comparing that one hair  
8 to. But, you know, it could involve something on the order  
9 of a few hours, I guess, is enough time to really assess  
10 that properly.

11 THE COURT: Why would it take so long?

12 THE WITNESS: Say 'again?

13 THE COURT: Why would it possibly take so many  
14 hours to examine one hair versus a known sample?

15 THE WITNESS: Let me make sure I heard the entire  
16 thing. There's an echo and --

17 THE COURT: Why would it take so long?

18 THE WITNESS: It's a pretty involved process.  
19 We're talking about -- you know, as I understand, this case,  
20 there are at least four individuals that would represent the  
21 known samples, and we would then need to look at that hair,  
22 compare it with the range of variations seen in those four  
23 knowns; in other words, we -- each individual can have a  
24 fairly wide range of variation in hairs within the same  
25 scalp, and that complicates the comparison process. So, in

1 order to properly evaluate the likelihood of given hair  
2 coming from a particular source, we would need to compare  
that to the range seen in each of those sources.

THE COURT: Isn't that what we're going to do in  
5 the mitochondrial DNA, so we're doing it twice?

6 THE WITNESS: Say. again?

THE COURT: That wasn't for you, that was for  
8 counsel.

9 THE WITNESS: Okay.

10 MR. HILE: Yes, your Honor. May I propose to  
11 Doctor DeForest what we talked about conceptually as the  
12 first part that Doctor Melton talked about this morning, so  
13 he's aware of that?

14 THE COURT: Not right now. Why don't we let her  
15 go forward and then you can do follow-up.

16 BY MS. WILKENS:

17 Q Now, Doctor DeForest, is the value in comparing hair  
18 characteristics, is there value in that in trying to  
19 determine which hairs should be subject to mitochondrial  
20 testing when you're dealing with 100 or 1,000 hairs?

21 A Well, you know, there's going to be a question of  
22 economy, I guess. Clearly, the idea of looking at the hairs  
23 and seeing whether or not they're suitable is a simpler  
24 problem than deciding whether or not the hair is likely to  
25 have come from a certain person and the mitochondrial DNA be

1 used as a confirmatbry thing. So, depending on what the  
2 mitochondrial DNA is going to cost per hair versus what the  
3 more detailed microscopical evaluation is going to entail,  
4 it might be -- well, it's just hard to articulate this.  
5 But, you know, I can see situations where it would be fairly  
6 straightforward to go through a fairly large number of hairs  
7 and just ascertain whether they would lend themselves to  
8 mitochondrial analysis and getting a meaningful result from  
that, versus an analysis that would try to narrow down the  
10 proximation of hairs to those that would appear to be  
11 relevant to the case. That makes sense? Am I --

12 Q Yes, would you use this process identify hairs that are  
13 from the same source so that you're not testing twenty hairs  
14 that appear to be from the same source?

15 A Sure, right. Part of the thing would be to attempt to  
16 group them, and assuming we have an adequate known source to  
17 do that, that should be possible. It should be possible to  
18 find hairs that do appear to have similarities to a given  
19 source and group them that way.

20 MS. WILKENS: I have nothing further, your Honor.

21 THE COURT: All right. Now you may clarify.

22 REDIRECT EXAMINATION

23 BY MR. HILE:

24 Q Doctor DeForest, it's Norm Hile again. Let me just try  
25 to set up what we were talking about earlier as to what

we're calling the first step here. Doctor Melton testified  
2 that one of the things that might be found through the  
3 process of analyzing and then doing mitochondrial DNA  
testing on the hairs that were clutched in the hands of  
5 three of the victims was to eliminate those victims as the  
source of one or more hairs that were clutched in the three  
7 victims' hands. And so, that's the first area that we are  
looking at, and I didn't set that up well enough when I was  
asking you questions earlier. So, I just want you to be  
10 aware of that, that that's what we're looking at as the  
11 first test, that is, to determine through your analysis and  
12 then through ,mitochondrial DNA testing, if we find hairs  
13 clutched in the hands of any of the three victims or more  
14 than one of the three victims that might have come from  
15 someone other than those victims. And so, that's what we're  
16 setting up with respect to this first part. So, in  
17 responding to this question, then, give that as your basis.  
18 Would you be able, in looking at those hairs that are  
19 clutched in those three victims' hands through microscopy  
20 and what you do, to determine what you feel would be the  
21 most suitable ones to determine if there were some that  
22 would not come from the victims that could then be tested  
23 for that?

24 A Okay, in other words, to try to group the unknown hairs  
25 in a way that they could be assigned as a likely source to

1 the individuals involved, and then from that, identify hairs  
2 that fall outside that range that would perhaps be useful  
ones to do the mitochondrial DNA analysis on. Is that --

Q That's correct.

5 A Okay, yeah, and that is something that I think is worth  
6 doing. It's something that should be done before the  
7 mitochondrial DNA is done, you know. In a context like  
this, it would make sense to do that.

Q And just so we can respond to the Court's concern here,  
10 can you give us some idea of how many hours it would take  
11 and how long it would take to identify the best hairs from  
12 those groups of three clutched victims' hands of hair that  
13 it would take for you to determine which would be the best  
14 ones or twos or threes-or how many you think that would be  
15 good for testing against the sample of those victims'  
16 mitochondrial DNA?

17 A Okay. I guess I haven't made myself clear on this.  
18 But, to me, it would appear there'd be two stages here. I  
19 mean, I haven't seen the thing yet. I haven't examined  
20 anything at all. But, from what I know of the situation, I  
21 would assume that there are a large number of hairs that  
22 would be irrelevant to the investigation hairs, that would  
23 have lain in the environment for a period of time and would  
24 have suffered mechanical damage or microbial damage,  
25 chemical damage, that kind of thing in the environment that

1 could be eliminated from consideration with a fairly cursory  
process. And then, following that, for those that appear to  
3 have the possibility of being shed contemporaneously, to  
4 then try to associate those with individuals that are  
principals in the case, and then from that, identify hairs  
6 that would fall outside those ranges that would be good ones  
7 for mitochondrial analysis to evaluate whether or not they  
8 could be from someone other than those that are principals  
9 in the case.

10 THE COURT: Before you answer, because - this is  
11 Judge Huff. If you could, between now and when I ask for a  
12 further submission, give a realistic view as to .what would  
13 be a practical way to develop a reasonable test, what we're  
14 trying to focus on are victim hairs, animal hairs and other  
15 perpetrators. And then, if your testing is so expensive  
16 that it would just be cheaper to just do the mitochondrial  
17 DNA on everything, then we wouldn't need you. Obviously,  
18 it's about \$2,500 per hair for the mitochondrial testing, so  
19 we're trying to get a reasonable sample that would give us  
20 the results that we need in the best way. So, you can talk  
21 further with counsel and recognizing that we do not have  
22 unlimited budgets here, but you can talk further with  
23 counsel and then come up with a proposal that you think  
24 would be of assistance.

25 MR. HILE: That would be fine, your Honor. We'll

1 do that.

THE COURT: Okay.

3 MR. HILE: Thank you. Thank you, Doctor DeForest.

4 THE COURT: Anything else?

MS. WILKENS: No, your Honor.

THE COURT: All right. Anything else from either  
7 side on the tutorial? Thank you, Doctor Forester. We'll be  
8 in touch with you through counsel later.

9 THE WITNESS: Okay, very good. Thank you very  
10 much. Bye-bye.

11 MS. WILKENS: Yes, your Honor, we haven't finished  
12 with Doctor Steinberger's hair presentation.

13 THE COURT: All right, then, we'll resume with  
14 that.

15 MR. ALEXANDER: Can I indulge the Court for a  
16 quick three-minute break?

17 THE COURT: Yes.

18 (Proceedings recessed briefly.)

19 THE COURT: We need the mike. We're back in  
20 session. You may continue.

21 THE WITNESS: The first part of my presentation is  
22 very similar to the material that Doctor Melton presented.  
23 So, if you want, I can skip it, which would save some time.  
24 There's just one slide among the slides that is a schematic  
25 of a hair, and I would like to explain a little bit how a

hair grows. And. you heard the terms "shed hair" and "pulled hair" and things like that, and just clarify this quickly. So, this is the schematic representation of a hair follicle.

THE COURT: This is -- the slides have been marked as Respondent's Exhibit B.

MS. WILKENS: Yes, your Honor.

THE COURT: And received.

MS. WILKENS: 'They've arrived.

THE WITNESS: And in this slide, you can see the hair coming down here into the skin. These layers here around here are skin layers. And down here is where the hair begins to grow.. And that is the root of the hair. Now, once the cells start to divide, the hair grows and the shaft is pushed out of the skin. When the hair is growing, the cells that were originally soft and nucleated become hard and what we call keratinized. That's a substance that makes the cells hard. And the nuclei die. The only thing that is remaining with respect to nucleic acids is the mitochondrial. So, a growing hair, a hair that's still growing is, first of all, formally seated in that follicle, doesn't fall out easily, and the root down here still contains nucleated cells in a growing hair. So, since the growing hair doesn't fall out by itself, when it's pulled out forcibly or plucked, as 'we call it, it would take a little bit of the cellular material that's around the hair

1 that's called the sheath with it. And that's why a pulled  
2 hair is very suitable to DNA typing because it has living  
3 cellular material with it.

4 EVA STEINBERGER - RESPONDENT'S WITNESS - PREVIOUSLY SWORN

5 DIRECT EXAMINATION (Resumed)

6 BY MS, WILKENS:

7 Q Excuse me, Doctor Steinberger, when you say suitable  
8 for DNA typing, you're referring to the more --

9 THE COURT: Nuclear.

10 MS. WILKENS: Specific nuclear?

11 THE WITNESS: Mitochondrial or nuclear, but as  
12 soon as the cell has a nucleus, normal nuclear typing will  
13 work. Now, when a hair stops growing, it tends to come out  
14 easily and eventually it will fall out because a new hair  
15 will start growing at the bottom and push the old one out.  
16 A non-growing hair -- well, first of all, when it comes out  
17 of the skull or anywhere on the body for that matter, it  
18 will not have this cellular material on the side because  
19 there is no more embedding into the skin. And secondly, the  
20 root cells also don't contain any nuclear DNA anymore. The  
21 root also hardens under these circumstances. So, this is  
22 what I believe would clarify a shed hair versus a pulled  
23 hair, and the hair that need mitochondrial DNA analysis  
24 versus nuclear DNA analysis.

25 So, I skip the rest of the mitochondrial related

slides. And I just want to give you a few facts about human  
2 hair that pertain somewhat to this case. A human being  
loses approximately 100 hairs per day from the head. Shed  
hairs consist mainly of dead cells without a nucleus, and  
5 intact mitochondria are still present, and that pertains to  
6 the root and to the shaft of the hair. The average growth  
7 cycle of the hair is two to six years. And a human being  
8 has between 90,000 and 150,000 hairs on the head. And we  
9 have approximately two million follicles on the whole body.

10           So, I skipped all the mitochondrial talk and I  
11 jump immediately into the forensic science part. One of the  
12 fundamental principles in forensic science is that, when two  
13 objects come in contact with each other, some exchange of  
14 trace matter will likely take place. This is called Lockard  
15 (phonetic) principle, and it's, as I said, a fundamental  
16 basis of our work. So, generally speaking, the presence of  
17 hairs falling to the victims could be of significance. But,  
18 now, I'm coming to the issues.

19           The first issue is the condition of the carpet in  
20 the house where the incident occurred, and this slide may be  
21 a little bit difficult to interpret. It's a bird's-eye view  
22 of a plastic bag with vacuum sweepings from the carpet, and  
23 you can see that there is a huge amount of hairs embedded in  
24 that dust. Some of them are animal. It's hard to tell from  
25 the slide, but they look like animal hairs. But, there are

1 uncountable hairs in this sample.

2 BY MS. WILKENS:

3 Q And Doctor Steinberger, to clarify, this is just from  
4 the master bedroom of the home, is that correct?

5 A Yes. The second issue is the choice of hair for  
6 mitochondrial DNA analysis that was just discussed in depth  
7 by Professor DeForest, and I just put up this slide to show  
8 you how many hairs were submitted to the DNA laboratory when  
9 Doctor Ed Blake and Steven Meyers tested the hairs under the  
10 post-conviction agreement. And you can see there must be  
11 hundreds of hairs that could potentially be tested. There  
12 were more hairs that are not on this slide from Chris  
13 Hughes' left hand, where I don't have a photo. And in the  
14 next slide, just for completeness, we included also all the  
15 hairs that were previously examined and arrived at the lab  
16 already on a slide. It's hard to see, but there's usually  
17 one hair on each of these slides.

18 Now, one question that is coming up is the question of  
19 color, hair color. And this is the Ryan family and Chris  
20 Hughes. All of them have blonde to light brown hair, so it  
21 would be a range of colors, but still light brown to blonde.  
22 And let me go to Jessica's hair, which you can see here is  
23 clearly very light brown in comparison to the hair samples  
24 that were submitted to the laboratory from the right hand  
25 and the left hand and they all look pretty consistent in

1 color. There may be some darker spots here and here, but  
this hair has contained some blood, so that's where the  
darker spots come from. Now, this is just to illustrate  
where this bunch of loose hairs comes from. It was  
collected from the right hand of Jessica Ryan, and these are  
6 clearly loose hairs. It doesn't look as if it were a clump.

7 Another issue, resources, you've already talked about  
8 this, so go over it very quickly. We contacted the  
9 private laboratory. The cost per hair is more than 2,000.  
10 It's actually 2,500, but a discount could be worked out if  
11 we submit a large number. With respect to the time frame, I  
12 already knew that there are a lot of hairs involved, so  
13 just asked them, how long will it take. And she said, in  
14 the last five years, we have typed about 700 hairs. So, it  
15 takes a long time. And these, of course, are individual  
16 cases, so it could be a little bit faster, but not much.  
17 And from this slide that I showed you, we estimate that  
18 several hundred hairs were submitted to DOJ during the post-  
19 conviction testing. So, this is then the scope we are  
20 talking about.

21 Issue number four, references samples. Reference  
22 samples should be available for all individuals because, as  
23 you know now, there are so many hairs in that house that  
24 will be different from the victims just by visitors bringing  
25 it into the house and clients. And so, it would be good to

1 have all reference samples, but it is not necessary to type  
2 the reference samples first, in our opinion.

4 Now, to the relevance of the results. The carpet  
sweepings, as we've seen, contain untold numbers of hairs  
6 from the Ryan household. These hairs would have mainly  
7 originated from the family members, pets, but also from  
8 other individuals visiting this house. And finding hairs in  
9 the sweepings that are foreign to the victims is expected  
and will be of no probative value.

10 Now, back to the hairs in the hands of the victims.  
11 Even if you shed foreign hairs in the mass of hairs in the  
12 victims' hands may be significant, and the reason is that  
13 the crime scene photos show that at least three of the  
14 victims were found on the floor, and there was a lot of  
15 blood on the hands of the victims, so when they fall down,  
16 they are likely to pick up some hairs from the floor. And  
17 the presence of animal hairs on the bodies which were found  
18 indicate that these hairs were transferred from the floor  
19 because the animals were not involved in that struggle.

20 But, a clump of pulled hairs that are foreign to  
21 the victim would be significant. And if pulled, these hairs  
22 would be expected to have the morphology that is  
23 characteristic of a plucked hair, as I explained in the  
24 beginning with my schematic picture. And this is what a  
25 plucked hair would look like. There's the lower hair here.

1 You can see the root here. This is the hair shaft, and  
2 these structures on the sides are the cells that were pulled  
out of the skull when the hair was pulled. So, this is what  
4 we would expect to see in a clump of pulled hair. There  
5 could be some hairs in that sample that don't have these  
cells or very few. But, some of them certainly would have  
to look like this.

8           However, Doctor Ed Blake and Steve Meyers, during  
9 the post-conviction testing, looked at all hairs, all  
10 samples that I showed you up on the screen, under a stereo  
11 microscope, and the vast majority of hairs found were these  
12 types where you can see there are no cells on the side and  
13 the root looks very characteristic of a shed hair, and these  
14 types, which is a cut hair. Out of all the hairs that they  
15 looked at only three hairs were felt suitable for post-  
16 conviction STR tests, but none gave a result. And that's  
17 all I have.

18           THE COURT: Okay, thank you.

19 BY MS. WILKENS:

20 Q     Doctor Steinberger, just to clarify with respect to the  
21 examination that Doctor Blake and Steve Meyers conducted,  
22 the hairs that are in Jessica's hand, the long hairs that  
23 are in the photo, are those cut hairs?

24 A     He said these were hairs.

25 Q     And what is the significance that they're cut?

1 A There was obviously a struggle and the murder weapon  
was a hatchet. So, in that fight, hairs would be cut off  
3 like when you go to the hairdresser and somebody cuts your  
4 hair. There are -- you know, you'd find cut hairs.

Q And the Defense keeps talking about clutching hairs.  
6 Do you know whether or not it's commonplace to have  
7 rigormortis cause the hands to close inward?

A This is not my expertise. I cannot speak to that.  
9 But, what I can say is that this was not a clump of hair.  
10 These are loose hairs, as you saw in the picture.

11 Q Thank you.

12 THE COURT: Any cross?

13 CROSS EXAMINATION

14 BY MR. HILE:

15 Q Doctor Steinberger, I'm happy to have you stand there,  
16 if you'd like, or whatever your choice is. It may be easier  
17 for the Court to hear you. I just have a few questions.  
18 Doctor Steinberger, have you ever performed mitochondrial  
19 DNA testing?

20 A

21 Q Have any of the gentlemen on the list that you had  
22 there who were part of your slide, first slide, have they  
23 ever done it?

24 A No.

25 Q Have you ever worked in a lab that has done

1 mitochondria' DNA testing of hair?

2 A Yes. I'm currently working in a lab that is  
almost -- well, we're almost ready to go online with  
4 mitochondrial testing, so I have a lot of contact with data  
5 and validation and proficiency tests, yes.

6 Q When you say "almost ready to go online," do I  
understand by that that they haven't yet actually done  
testing in the regular course of their business?

9 A They have not begun case work yet.

10 Q Have you or any of the gentlemen that were on that list  
11 ever testified as an expert on mitochondrial DNA testing?

12 A No.

13 Q Have you ever testified as an expert in hair  
14 microscopy?

15 THE COURT: Did you want to amend your previous  
16 answer?

17 THE WITNESS: Yes, I probably should, because I  
18 believe that Steven Meyers was asked a lot of questions  
19 about mitochondrial testing in the post-conviction case, so  
20 he has testified on mitochondria' testing as an expert.

21 BY MR. HILE:

22 Q Okay. How about, have you ever testified as a hair  
23 microscopist?

24 A Myself?

25 Q Yes.

A No.

2 Q Was Doctor Melton correct that you called Mytotyping  
Lab within the last week or two to inquire about services?

A I did.

5 Q What was the reason that you did that?

A I wanted to get an impression of what the cost and the  
7 length of time would be.

8 Q For a private lab such as that to do the --

9 A Yes.

10 Q And is the reason that you wanted to find out about  
11 having a private lab do it from a cost standpoint because  
12 you had found no other public or Government lab that could  
13 do it?

14 A No, I just wanted to be prepared for this presentation  
15 in case someone wants to know how much it would cost.

16 Q Now, I wanted to ask you about the hairs in the slides  
17 that we just saw. My understanding is that the rug  
18 sweepings were not hairs that were found grasped in the  
19 hands of any of the victims, is that correct?

20 A Can you repeat that question, please?

21 Q Yes, is it correct that what you were referring to as  
22 the hair sweepings were not hairs that were found in the  
23 clutches of the victims? Those are different hairs,  
24 correct?

25 A Yes, the evidence that I showed on the screen is

1 evidence that was collected after the incident occurred, so  
it's not the same hairs, yes.

3 Q Okay, so, as you understand it, no one has asked to  
4 test all the sweepings, correct?

5 A I'm not sure what you're asking.

6 Q Well, you talked about how there were hair sweepings  
7 that were brought together from the house.

8 A Yes.

9 Q Those are different hair samples than the ones that  
10 were in the victims' hands, are they not?

11 A Yes.

12 Q No one is asking to test those sweepings, are they?

13 A No, I only showed it in the context of the fact that  
14 the victims were found on the floor. So, even if you find  
15 single hairs in these hands that do not match the victims  
16 and they were not pulled, it is not significant because the  
17 victims were on the floor and they must have picked up some  
18 hairs from that floor and the sweepings are a representation  
19 of that floor.

20 Q And you yourself have not done microscopy on the hairs  
21 that were in the grasp of the victims' hands.

22 A The hairs in this case, no.

23 MR. HILE: Thank you. I have no more questions,  
24 your Honor.

25 MS. WILKENS: Nothing further, your Honor.

THE COURT: Thank you. You may step down.

2 MR. ALEXANDER: Your Honor, I have one matter I  
just wanted to clarify for your Honor and we're done,  
4 without any witness.

5 THE COURT: Sure.

MR. ALEXANDER:. And I may be presuming something  
that the Court did not have in mind. By some questions that  
your Honor had asked of Doctor Melton, I got the impression  
9 that you thought that the other possible subjects were of  
10 Hispanic origin.

11 THE COURT: From your petition.

12 MR. ALEXANDER: I'm sorry?

13 THE COURT: From your petition.

14 MR. ALEXANDER: Well, that may be mentioned in the  
15 petition. I don't recall offhand. But, let me clarify --

16 THE COURT: The prison gang. The prison gang.

17 MR. ALEXANDER: Yes. Well, I don't know if it's a  
18 prison gang or not, but I guess --

19 THE COURT: The prison gang and the testimony of  
20 the son in the hospital.

21 MR. ALEXANDER: Okay.

22 THE COURT: It's mentioned by you it's the  
23 Hispanic prison gang.

24 MR. ALEXANDER: That's correct. There is  
25 reference to that, okay, and that is a possibility.

1 Obviously, it's not our burden to show who did do it, just  
2 to show that there's reasonable doubt as to whether  
3 Mr. Cooper did it. But, to be clear as we proceed, because  
4 I think this is important, our belief or the best  
information we have is that the other perpetrators, whose  
names we are aware of, are white.

7 THE COURT: Thurow (phonetic) and Coone  
8 (phonetic)?

9 MR. ALEXANDER: Coone and Darnell.

10 THE COURT: Coone, of course, retracted.

11 MR. ALEXANDER: Yes, perhaps.

12 THE COURT: Anybody else?

13 MR. ALEXANDER: No, I just wanted to make sure --

14 THE COURT: Coone and the prison gang?

15 MR. ALEXANDER: -- and there's a Mr. Darnell, all  
16 three who were in prison. I just want to make sure that  
17 your Honor was aware that those are the principal people  
18 that we think, and that ties into the coveralls and a lot of  
19 the other evidence. Thank you.

20 THE COURT: All right, thank you. I did want to  
21 go over a couple of other things. I think it's been very  
22 helpful to lay out some of the issues and get going on the  
23 matter, and it's been at least very helpful to me. What I  
24 would like is for the Petitioner to prepare a case budget,  
25 submit it to the Court in 30 days for any expenses, and we

1 may have to then evaluate pros and cons of various  
2 approaches. The subject of the mitochondrial DNA testing,  
3 we may end up sequencing certain matters where we do some  
4 things first and then hold off on others, or I may decide to  
5 go forward on several prongs at once.

6           As to the mitochondrial DNA testing, I don't have  
7 any questions about the scientific validity of the testing.  
8 There is an issue, if given what happened with the en banc  
9 panel, that if there is no EDTA found and if that meets  
10 Dalbert, which is a separate issue, then if Mr. Cooper's  
11 blood is there and there's no contamination, then that may  
12 end the story, absent a Brady issue. I know you may have a  
13 different view of that. But, remember, this is a gateway  
14 claim of actual innocence, and then you have a logical  
15 sequence that flows from that. So, will end up evaluating  
16 whether we do many prongs at once, or whether we focus on  
17 one and then move on to the next, depending on how the case  
18 progresses.

19           As to the EDTA, I think, given the submissions in  
20 the information, there is at least an issue as to scientific  
21 reliability on the Dalbert standards. And so, what I would  
22 like is briefing by both sides on the Dalbert and you're  
23 free to attach declarations of other people challenging the  
24 scientific reliability of that in this context. Do  
25 you -- what I was planning to do is have you probably do

1 simultaneous briefings and then, unless you think  
2 that -- probably given what we have today, it would be  
3 simultaneous briefings, and then each party respond and then  
4 have that covered by the Court by June 2. So, if you  
5 could -- if we get out a calendar, if you file that in 30  
6 days, that would be May 2. I don't know what day of the  
7 week that is. I'll look. That's a Sunday. So, the Friday  
8 would be -- what's the Friday right before that?

9 THE CLERK: April 30th.

10 THE COURT: How about by April 30? Is that enough  
11 time?

12 MR. HILE: Is that the opening briefs, your Honor,  
13 on Dalbert?

14 THE COURT: Opening briefs on Dalbert.

15 MR. HILE: Yes, your Honor.

16 THE COURT: And then, the reply briefs -- by May  
17 14, that's two weeks later.

18 MR. ALEXANDER: Excuse me, your Honor, before you  
19 continue on this, I just realized, April 30th, May 1  
20 and -- May 1, I'm to be at the Northern District Conference.

21 THE COURT: This is just submissions.

22 MR. ALEXANDER: Okay.

23 THE COURT: Just filings.

24 MR. HILE: Your Honor --

25 THE COURT: Filings of briefs.

1 MR. HILE: Understood. Could I ask a question  
2 just because I don't know. It will be very helpful, I  
think, probably to both sides to have a transcript that may  
be part of what our submissions would be with respect to the  
5 Dalbert issue of what happened today. And I'm just  
6 wondering, how long does it take to get such a transcript?

THE COURT: You can have a free tape immediately.

MR. HILE: Okay, that would be great. That would  
be terrific.

10 THE COURT: You can have a free tape, free today.

11 MR. HILE: That would be wonderfully helpful.

12 THE COURT: And then --

13 MR. HILE: It's free and it's quick. That's  
14 wonderful.

15 THE COURT: I think we can make it today, can't  
16 we? Today or -- well, today you're here. They can take it  
17 with them.

18 THE REPORTER: Sure, that's fine.

19 THE COURT: Okay. And then, I really hate to do  
20 expedited briefing on that. I mean, if you have the tape,  
21 then you could -- wouldn't that give you enough information?

22 MR. HILE: Yes, your Honor. I mean, we'll create  
23 a transcript from it that we can then submit, to the extent  
24 we need it. But, also that will help us to prepare.

25 THE COURT: We'll then also order a transcript,

but we do normal course and that's 30 days. And then, the  
2 opposition would be due May 14. And then, I'll be seeing  
you on June 2. And then, your case budgeting -- the  
4 opposition is due Friday, May 14. Your case  
5 budgeting -- can you also file by April 30?

MR. HILE: Can we have till the 3rd of May, your  
7 Honor?

MR. ALEXANDER: That's the Monday following.

9 THE COURT: You may. You get the weekend, then.  
10 You may. And that would be to chambers under seal, and I  
11 wouldn't be giving access to the other side unless I think  
12 that I need more information from them. And then, I  
13 would -- let me just give you some preliminary thoughts,  
14 that I have some questions about the Dalbert issue as to the  
15 EDTA and what we will get out of it. I have -- as I said,  
16 if we can come up with a simple way of doing it, then -- and  
17 if I'm satisfied that what we get out at the end is  
18 reliable, then, obviously, the Court would be more persuaded  
19 to invest their resources in doing it.

20 Then, additionally, as to the hair analysis, it  
21 seems that from -- remember, in state court -- I know Mr.  
22 Port is here and observing and he had assisted the Defense,  
23 and is a recognized expert on DNA, and we had -- Defense had  
24 Doctor Blake and there was a lot of work done, and so, we've  
25 got some samples that are already mounted to the -- rather

1 than duplicating with Doctor Forester (sic), maybe we can  
2 take just a few of these and proceed on a couple of them  
3 already, especially the ones from Jessica's -- the loose  
4 hairs from Jessica's hand. Maybe we could go forward with  
5 those through the first witness.

6 MR. HILE: Yes, your Honor. What we would  
7 anticipate with respect to that -- and we're gathering these  
8 things -- is that we could use these photographs,  
9 immediately talk to Doctor DeForest about what he needs to  
10 do in order to make his determination as to which hairs are  
11 most appropriate for sampling based upon these photographs  
12 and other things, and that he needs to see them, and we'll  
13 give that to your Honor as part of the estimate of what the  
14 budget would be.

15 THE COURT: What I'm concerned about with Doctor  
16 Forester is that it seemed to be a whole lot of things, so  
17 if you could segregate each item, I'm not sure I'm as  
18 persuaded about the A41 analysis that he was talking about.  
19 We have to evaluate whether the EDTA is worthwhile. That's  
20 more worthwhile to me on the --

21 MR. HILE: T-shirt?

22 THE COURT: Well, at least, on the t-shirt, if you  
23 have one area, then maybe you could find three, although the  
24 A41 -- if your theory was that the t-shirt was contaminated  
25 originally, and so you're not proceeding on the San Diego

clerk somehow permitting access of some unknown person when  
2 the t-shirt was in their care and custody. You're not  
3 proceeding on that theory.

4 MR. HILE: No, your Honor.

THE COURT: So, you're saying that the  
6 contamination occurred early on back in '93 -- '83/84  
7 vintage?

8 MR. ALEXANDER: Before trial. Before it was  
9 admitted as an exhibit on behalf of -- the Defense  
10 introduced it.

11 THE COURT: So, what I'd like is just try to be as  
12 realistic as you can and to have a practical way of doing  
13 this, and see if there are some alternatives that we can do  
14 instead of evaluating every possible hair. There's  
15 thousands of hairs. And just in looking at these photos, it  
16 seems to me that some of these could be very helpful, and  
17 they're already mounted and we can get going on those. So,  
18 those are just some of my preliminary thoughts, and then I  
19 am toying with the idea of, if we can't come up with a good  
20 protocol on the EDTA or the mitochondrial DNA analysis,  
21 then, we'll have to evaluate it again and I may ask Doctor  
22 Meyers and Doctor Blake to testify if I think that maybe we  
23 should use what's already been done and then springboard  
24 from there to conserve resources rather than to go a  
25 different route. But, these are just preliminary thoughts,

and then I would like the briefing of the parties.

2 MR. ALEXANDER: Speaking of practical, which we're  
not really going to get to after we've had the science  
today, and this was with the greatest of respect for Doctor  
Blake, but we have Doctor Blake who was involved with the  
6 Defense in the case who is,,. currently of the personal view  
7 that he will not participate unless he is paid, and more  
8 than that, paid in advance\_ That's why we so welcomed your  
9 Honor's suggestion of a subpoena to Doctor Blake. And I  
10 don't want to make any more of that. But, our ability --

11 THE COURT: The subpoena -- what we were talking  
12 about was records.

13 MR. ALEXANDER: Right, I understand.

14 THE COURT: But, then, if we wanted Doctor Blake,  
15 we would figure out what is a reasonable hourly rate, and  
16 then we would have to accommodate that request.

17 MR. ALEXANDER: We don't have the "control" over  
18 Doctor Blake as one might ordinarily have in getting the  
19 cooperation of others, and.I don't mean to cast any -- but  
20 that is the reality.

21 One of the other items, though, I would raise, and  
22 we've used this EDTA, is it would be extremely helpful  
23 because we do not -- simply do not know, all of the samples  
24 of blood, including any blood stained cards, I guess I'd  
25 refer to, that were taken of Mr. Cooper, including any that

1 were obtained by the prosecution from Pittsburgh, because  
2 this issue of what preservatives may have been used -- if  
it's only EDTA, we have no problem with, you know, confining  
4 the testing. But, I think, as Dodtor Ballard explained, the  
methodology is really not essentially different with citric,  
6 which we understand was also used. But, I think it would be  
7 important to get that pinned down because we can do  
8 efficiencies in doing this at one time, as opposed to going  
9 back again.

10 THE COURT: And what I'll ask, the Government to  
11 check into that.

12 MS. WILKENS: Yes, your Honor.

13 THE COURT: And to then report to the Court as to  
14 if you can trace back, was it purple top, was it  
15 other -- were other blood samples taken.

16 MR. ALEXANDER: It would also be helpful -- and  
17 we'll try and ask Doctor Blake, as Mr. Coches here suggested  
18 to me, if we can find out whether or not doing the EDTA test  
19 or the DNA testing that was done in 2001, whether or not  
20 they in fact still have the fluid that remains that your  
21 Honor mentioned, and that would certainly, as I think Doctor  
22 Ballard can say, that would be a very efficient way of  
23 dealing with the --

24 THE COURT: And why don't we get him to say in his  
25 terminology what it is. It's the extraction of fluid, but

1 what would you call it?

DOCTOR BALLARD: The term that I've heard, your Honor, is an initial soak.

4 THE COURT: 'Whether the initial soaks are still available or not available for further testing.

DOCTOR BALLARD: Well, 'first of all, not all labs do, but many do. Were they done, and if they were done, do they still have them.

MS. WILKENS: Yes, your Honor.

10 MR. ALEXANDER: Thank you, your Honor.

11 MS. WILKENS: Your Honor, with respect to not  
12 reinventing things that have already been done, you  
13 mentioned Doctor Meyers and Doctor Blake. I would also  
14 invite the Court's attention to Doctor Thornton. In Judge  
15 Kennedy's courtroom, he was never presented. We have a  
16 chain of custody problem as to the hair because he examined  
17 it. He may very well have done everything that was being  
18 discussed with Doctor DeForest. We have no way of knowing,  
19 because he's been conspicuously absent from all court  
20 proceedings. So, he is someone the Court would very much  
21 like to hear from, I'm sure.

22 THE COURT: Where is he?

23 MS. WILKENS: I believe he's Berkeley area.

24 THE COURT: All right.

25 MR. ALEXANDER: We'll find out or attempt to find

1 out.

2 THE.COURM Okay.

MS. WILKENS: He's in the Bay area.

MR. ALEXANDER: We'll check.

5 THE COURT: Do you think, on timing, if we had  
6 three days, that we could do the warden, the person who gave  
7 out the PF Flyers but didn't give out the PF Flyers?

8 MR. ALEXANDER: Mr. Taylor, James Taylor.

9 THE COURT: Mr. Taylor. James Taylor. The ones  
10 that identified earlier. Do you think we could do those in  
11 two days and then reserve Blake, Meyers and Thornton for  
12 Friday?

13 MR. ALEXANDER: There might be -- there might  
14 be -- and I need to go back and check, but there may be  
15 other witnesses not identified who also would be relevant to  
16 that Brady issue.

17 THE COURT: If so, then, put it in the brief and  
18 then -- but, why don't we plan on two days for the shoe  
19 people and then one day on Friday. Then, you could line  
20 them up already and we would pay Doctor Blake's reasonable  
21 hourly rate. Hopefully, it's reasonable. And that would be  
22 June 4, Friday, June 4 for them. If they're not available  
23 on the 4th, we could always switch the order around so we've  
24 got the 2nd, 3rd and 4th blocked out. All right, well, I  
25 want to compliment the parties. It's been a wonderful

1 presentation, most enlightening to the Court. I think we  
2 have a good start. And it's a very important and  
3 significant matter, and it blends scientific evidence with  
criminal work as well, and I think that both sides have been  
extremely professional and responsive to the suggestions of  
the Court and I want to compliment you. Thank you.

COUNSEL: Thank- you, your Honor.

(Proceedings concluded.)

10 I certify that the foregoing is a correct  
11 transcript from the electronic sound recording of the  
12 proceedings in the above-entitled matter.

13

14 4:61 6://e--er 51/jW  
Transcriber Date

15

16 FEDERALLY CERTIFIED TRANSCRIPT AUTHENTICATED BY:

17

18 L. L. FOO CISCO, President \_\_\_\_\_  
Echo Reporting, Inc.

19

20

21

22

23

24

25